

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE CIRCUIT COURT OF THE FIRST CIRCUIT

STATE OF HAWAII

)	
SIERRA CLUB,)	Cv. No. 19-1-0019
)	
Plaintiff,)	
)	
vs.)	
)	
BOARD OF LAND AND NATURAL)	
RESOURCES, et al.,)	
)	
Defendants.)	
)	

TRANSCRIPT OF PROCEEDINGS
Had before the HONORABLE JEFFREY P. CRABTREE, Judge
presiding, on AUGUST 3, 2020, regarding the
above-entitled matter; to wit, JURY-WAIVED TRIAL.

APPEARANCES:

DAVID KIMO FRANKEL, ESQ.	For the Plaintiff
DAVID SCHULMEISTER, ESQ.	For Alexander &
TRISHA AKAGI, ESQ.	Baldwin
WILLIAM WYNHOFF	For State of Hawaii
MELISSA GOLDMAN	(DLNR/BLNR)
Deputies Attorney General	
CALEB ROWE	For County of Maui
Deputy Corporation Counsel	

REPORTED BY:
Jamie S. Miyasato
Official Court Reporter
First Circuit Court
State of Hawaii

I N D E X

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

WITNESS

Page

MICHAEL KIDO

Direct Examination by Mr. Frankel.....	51
Cross-Examination by Mr. Schulmeister.....	80
Cross-Examination by Mr. Wynhoff.....	92
Redirect Examination by Mr. Frankel.....	96

1	<u>EXHIBITS</u>	<u>Page</u>
2		
3	Exhibits J-1 through J-29.....	10
4	Exhibit Nos. 2, 3, 4, 10, 11, 13, 15, 16, 17,	
5	18, 19, 23, 27, 28, 32, 33, 34, 35, 88, 102,	
6	104, 105, 106, 107, 110, 111, and 112.....	10
7	Exhibit Nos. S-2, S-3, S-19, S-26, S-39, S-42,	
8	S-43, S-48, S-49, S-50, S-51, S-57, S-76,	
9	S-78, S-79A, and S-79B.....	10
10	Exhibit Nos. M-1, M-2, and M-3.....	10
11	Exhibit Nos. AB-3, AB-8, AB-9, AB-11, AB-12,	
12	AB-13, AB-18, AB-28, AB-31, AB-40, AB-65,	
13	AB-66, AB-67, AB-68, AB-69, AB-70, AB-104,	
14	AB-108, AB-109, AB-112, AB-146, AB-147,	
15	AB-148, AB-149, AB-150, AB-170, AB-171, and	
16	AB-172.....	10
17	Exhibit No. 99.....	56
18	Exhibit No. 50.....	71
19	Exhibit No. 51.....	74
20	Exhibit No. 52.....	74
21	Exhibit No. 53.....	76
22	Exhibit No. 54.....	77
23	Exhibit No. 55.....	78
24	Exhibit No. 67.....	79
25		

1 AUGUST 3, 2020

2 -o0o-

3 THE BAILIFF: Circuit Court of the First
4 Circuit is now in session. Calling Case No. 1 on the
5 calendar. Civil No. 19-1-0019. Sierra Club versus Board
6 of Land and Natural Resources. Jury-waived trial.
7 Counsel, appearances please starting with plaintiff.

8 MR. FRANKEL: Good morning, Your Honor. This
9 is David Frankel with the Sierra Club. Here with me
10 virtually is Marti Townsend, the director of the Sierra
11 Club's Hawaii Chapter.

12 THE COURT: Hi. Good morning. Welcome.

13 THE BAILIFF: And the State of Hawaii.

14 MR. WYNHOFF: Good morning. Deputy Attorney
15 Generals Bill Wynhoff and Melissa Goldman, the State's
16 representative, and also I guess -- well, the State's
17 representative is Suzanne Case, who is virtually here.

18 THE COURT: Welcome. And Mr. Wynhoff, you're
19 coming through much more clearly, so thank you for
20 whatever adjustments you folks made. They worked.

21 MR. WYNHOFF: Thank you, Your Honor.

22 THE BAILIFF: Alexander & Baldwin.

23 MR. SCHULMEISTER: Good morning, Your Honor.
24 David Schulmeister and Trisha Akagi for Alexander &
25 Baldwin and East Maui Irrigation Company.

1 THE COURT: Good morning. I'm not seeing
2 Ms. Akagi on the screen.

3 THE BAILIFF: She's not going to argue so she
4 wanted to keep her camera off.

5 THE COURT: Okay. Got it.

6 THE BAILIFF: And then County of Maui.

7 MR. ROWE: Good morning, Your Honor. Deputy
8 Corporation Counsel Caleb Rowe on behalf of the County of
9 Maui.

10 THE COURT: Good morning. Welcome.

11 I understand there's some initial housekeeping
12 type questions you have. So who wants to take those up?

13 MR. FRANKEL: Your Honor, the parties have
14 agreed to stipulate a series of exhibits into evidence.
15 And I think the desire is to take care of that before
16 opening statements, if that's all right with you.

17 THE COURT: That's fine.

18 MR. FRANKEL: So I guess -- I'll go ahead and
19 read this off. I think Lauren Chun with the State is
20 probably most familiar. I don't know if she's handling
21 that or not. But I'll go through this. I'll try to go
22 relatively slowly. Please tell me to stop if I'm going
23 too fast.

24 So the joint exhibits J-1 through J-29 are
25 stipulated into evidence.

1 Exhibits 2 -- these are plaintiff's exhibits.
2 So Exhibits 2, 3, 4, 10, 11, 13, 15, 16, 17, 18, 19, 23,
3 27, 28, 32, 33, 34, 35, 88, 102, 104, 105, 106, 107, 110,
4 111, 112.

5 For the BLNR defendants' exhibits, they are
6 S-2 -- oh, sorry.

7 MS. GOLDMAN: I'm sorry. 115, 117, 122, 123.
8 They're also stipulated.

9 THE COURT: Was that you, Ms. Goldcap?

10 THE BAILIFF: Goldman.

11 MS. GOLDMAN: Yes, Your Honor. Melissa
12 Goldman.

13 THE COURT: What did I say?

14 THE BAILIFF: Goldcap.

15 MS. GOLDMAN: It's okay.

16 THE COURT: I promised myself at about 8:30
17 this morning I was not going to do that again. I
18 apologize.

19 MS. GOLDMAN: No problem. I'm sorry. Melissa
20 Goldman for the State on the record.

21 Mr. Frankel, did you get that?

22 THE COURT: Time out. Just a brief cautionary
23 note to everyone. You know, for our court reporter's
24 benefit, please identify yourselves if you're going to,
25 you know, jump in and say something. Then she doesn't

1 have to guess who it is. All right? Thank you.

2 All right. Go ahead.

3 MR. FRANKEL: So my notes say the last series
4 of exhibits stipulated into evidence is 112. I'm not
5 aware of other ones. And I don't think the County are
6 aware that you folks want to stipulate to them. So I
7 don't think we should take them up at now.

8 MS. GOLDMAN: Okay. This is DAG Melissa
9 Goldman. That's fine. I actually had sent an email to
10 you, Mr. Frankel, but we'll deal with it later. Thank
11 you.

12 MR. FRANKEL: I'm sorry. So now, the BLNR
13 defendants exhibits are S-2, S-3, S-19, S-26, S-39, S-42,
14 S-43, S-48, S-49, S-50, S-51, S-57, S-76, S-78, S-79A,
15 S-79B.

16 THE COURT: Got it.

17 MR. FRANKEL: The County exhibits --

18 MR. SCHULMEISTER: This is David Schulmeister.
19 I think you skipped over 21.

20 MR. FRANKEL: I did. When Trisha and I talked
21 on Friday, that's a joint exhibit already, I believe. We
22 might want to -- Melissa, she's nodding her head.

23 I believe it's already a joint exhibit, David,
24 and if it's not, we can -- you know, I'll stipulate it in
25 later. But it would be redundant, I think.

1 THE COURT: Do you know which of the joint
2 exhibits it is? 'Cause it's not 21.

3 MR. FRANKEL: No. It is -- and I think I'm --
4 I'm guessing a little bit here, but I think it might be
5 J-29.

6 MR. ROWE: That's what I recall from our
7 conversation as well. J-29.

8 THE COURT: J-29 is not in either.

9 MR. FRANKEL: J-29 is a joint exhibit. J-1
10 through 29 are all in evidence.

11 THE COURT: I'm sorry. I was looking in the
12 column of the plaintiff's exhibits. I apologize. Got
13 it.

14 MR. FRANKEL: Okay. So those are the BLNR
15 defendants exhibits stipulated into evidence.

16 The County exhibits stipulated into evidence
17 are the first three, M-1, M-2, and M-3.

18 THE COURT: Can you repeat that please?

19 MR. FRANKEL: Sure. M-1, M-2, M-3.

20 THE COURT: Got it. All right. Any --
21 everyone --

22 MR. FRANKEL: For the --

23 THE COURT: Go ahead.

24 MR. FRANKEL: For the Alexander & Baldwin
25 exhibits, they are 3 -- AB-3, AB-8, AB-9, AB-11, AB-12,

1 AB-13, AB-18, AB-28, AB-31, AB-40, AB-65, AB-66, AB-67,
2 AB-68, AB-69, AB-70, AB-104, AB-108, AB-109, AB-112,
3 AB-146, AB-147, AB-148, AB-149, AB-150, AB-170, AB-171,
4 and AB-172.

5 THE COURT: Got it. All right. Good. Anyone
6 have any objections or clarifications on any of that, or
7 you're all in agreement? Please make a statement for the
8 record.

9 MR. SCHULMEISTER: For A&B we're in agreement.

10 THE COURT: Thank you.

11 MR. SCHULMEISTER: David Schulmeister.

12 THE COURT: Thank you.

13 MR. ROWE: This is Caleb. The County's in
14 agreement.

15 THE COURT: Thank you.

16 Ms. Goldman.

17 MS. GOLDMAN: Just a moment, Your Honor.

18 Sorry about that.

19 MR. WYNHOFF: Yes, Your Honor. The State
20 agrees with all of those listed. There may be others
21 that are still -- we might be able to add some more
22 later. But all of those that Mr. Frankel kindly listed,
23 the State agrees that they can and should be received
24 into evidence in this trial.

25 THE COURT: Thank you. All right. Any other

1 issues we need to take up or can we go to openings?

2 (Exhibits J-1 through J-29 were received in evidence.)

3 (Exhibit Nos. 2, 3, 4, 10, 11, 13, 15, 16, 17,
4 18, 19, 23, 27, 28, 32, 33, 34, 35, 88, 102, 104, 105,
5 106, 107, 110, 111, and 112 were received in evidence.)

6 (Exhibit Nos. S-2, S-3, S-19, S-26, S-39,
7 S-42, S-43, S-48, S-49, S-50, S-51, S-57, S-76, S-78,
8 S-79A, and S-79B were received in evidence.)

9 (Exhibit Nos. M-1, M-2, and M-3 were received
10 in evidence.)

11 (Exhibit Nos. AB-3, AB-8, AB-9, AB-11, AB-12,
12 AB-13, AB-18, AB-28, AB-31, AB-40, AB-65, AB-66, AB-67,
13 AB-68, AB-69, AB-70, AB-104, AB-108, AB-109, AB-112,
14 AB-146, AB-147, AB-148, AB-149, AB-150, AB-170, AB-171,
15 and AB-172 were received in evidence.)

16 MR. WYNHOFF: Two things, Your Honor, from my
17 point of view. No. 1, witness exclusion rule. I think
18 everybody agreed that the witness exclusion rule is in
19 effect.

20 THE COURT: That's correct.

21 MR. WYNHOFF: Ordinarily that would be really
22 easy for the court clerk, as many times people when come
23 into the courtroom and who are you, etc. But I don't
24 really know how it's set up, but you know, it's possible
25 that some people could be accessing this -- this trial.

1 And I'm absolutely 100 percent confident that all of the
2 attorneys are telling their witnesses not to do that, but
3 you know, witnesses do kind of crazy stuff.

4 And so I'm just going to ask -- I probably --
5 I might just mention it from time to time whether there's
6 anybody listening when they're not supposed to be
7 listening.

8 THE COURT: All right.

9 MR. WYNHOFF: The other thing --

10 THE COURT: Well, before we move on from that
11 point, people have to call in to get access to this;
12 right? So we ask them to identify themselves. And we
13 have a list. So I guess theoretically they could be
14 lying, but I doubt it. We will keep -- we'll keep you
15 informed if you have any questions about who's listening.

16 THE BAILIFF: And they can see also.

17 THE COURT: And you can see it on your screens
18 too, I assume, same way I can.

19 MR. WYNHOFF: Oh, okay.

20 THE COURT: Mr. --

21 MR. FRANKEL: Along those lines, Your Honor,
22 maybe we could -- there's a couple people's names on
23 there who I don't know which party they're associated
24 with. And maybe each party could identify attorneys or
25 paralegals or whoever they are that are on -- whose names

1 are posted there and who haven't already been introduced
2 with the appearances.

3 THE COURT: I see a Candace Stahl, S-t-a-h-l.
4 County; right?

5 MR. ROWE: Yes. That's my secretary.

6 THE COURT: And then Li'Ula Kotaki. She's
7 with Native Hawaiian Legal Corporation, apparently
8 listening in.

9 And then we have Vince Raboteau, also with
10 Native Hawaiian Legal Corporation apparently.

11 So that's who we have so far. All right.
12 Anything further along those lines?

13 MR. WYNHOFF: Not along that, Your Honor.

14 THE COURT: What's your next issue?

15 MR. WYNHOFF: Your Honor, I'm sorry. For the
16 court reporter, this is Bill Wynhoff. The other issue, I
17 think a really small issue, but just so we're -- just so
18 we're all clear, I notice that we didn't all stand up
19 when we -- when you came in and we're not standing when
20 we're talking. Are you okay with that, Your Honor? I
21 think it's appropriate and personally no disrespect, but
22 as long as everybody's on the same page.

23 THE COURT: I appreciate your raising it. But
24 I have absolutely no problem with people remaining
25 seated. We've had lots of hearings where people stand up

1 and all I can see is their belt line, which is not very
2 helpful. So we'll just do without that.

3 MR. WYNHOFF: Thank you, Your Honor.

4 THE COURT: All right.

5 MR. WYNHOFF: Oh, Your Honor, Bill Wynhoff
6 again. One more thing. I think probably before too long
7 we're going to be launching into opening statements.
8 It's my understanding and recollection that the
9 parties -- the plaintiff had up to 30 minutes and the
10 defendants collectively have up to 30 minutes. We've
11 agreed amongst ourselves that -- I believe I'm stating
12 this correctly -- that Mr. Schulmeister will take up to
13 20 minutes on the defendants' collective time. Mr. Rowe
14 will go second. I'm not a hundred percent sure how much
15 he'll be taking, but I guess 4 because I'll be taking 6.

16 THE COURT: That's fine. And you know, given
17 the circumstances we're working under here, I'm planning
18 on running a more relaxed trial than usual. So I do not
19 have a clock running. You know, I'll ask you folks to
20 keep to the time limits as best you can, but I'm not
21 going to tell anyone to sit down if they start running
22 over their allotment.

23 All right. What else? Okay. Looks like
24 we've covered the pretrial issues. So are we ready for
25 opening? Looks like we are.

1 Okay. Mr. Frankel, you're on.

2 MR. FRANKEL: What's the difference between
3 ignorance and apathy? I don't know, and I don't care.

4 For decades, the trustees of the public
5 streams in East Maui did not know how much damage A&B and
6 EMI were causing to the streams of East Maui. And once
7 they learned, they did not care enough to take necessary
8 action to protect the streams and the aquatic life
9 dependent on them.

10 By 2005, DLNR officially acknowledged that it
11 knew that stream diversions and insufficient streamflows
12 were a key threat to aquatic species on Maui, `opae and
13 `o`opu.

14 THE COURT: Mr. Frankel, I'm very sorry to
15 interrupt. I truly apologize, but I forgot to do
16 something that just arose. So we need to be very careful
17 when we're talking about acronyms. So DLNR, BLNR, they
18 sound very similar to the court reporter and to the
19 Court. So you might want to use Department versus Board
20 or some other method of communications.

21 And again, I apologize. I don't like
22 interrupting people during their opening, but I wanted to
23 make that general statement. Please go ahead.

24 MR. FRANKEL: Thank you, Your Honor.

25 So in 2005, the Department of Land and Natural

1 Resources officially acknowledged that it knew that
2 stream diversions and insufficient streamflows were a key
3 threat to native aquatic species on Maui, `o`opu and
4 `opae.

5 In 2009, the Department's Division of Aquatic
6 Resources concluded that typical diversion structures in
7 East Maui blocked the stream, captured 100 percent of the
8 streamflow except during storms, captured all of the
9 `o`opu and `opae, and destroyed downstream habitat.

10 In May 2010, the Department's Division of
11 Aquatic Resources informed the Department that, quote,
12 The removal of stream diversions and complete restoration
13 of streamflow would be the best possible condition for
14 native aquatic animals.

15 The Division of Aquatic Resources also
16 concluded that streams need at least 64 percent of their
17 naturally occurring base flow to allow native stream
18 animals to grow and reproduce.

19 The Board of Land and Natural Resources may
20 not have known that fact back in the Year 2000, when it
21 voted to issue the four revocable permits to Alexander &
22 Baldwin and East Maui Irrigation, but it did know that in
23 2018 and 2019, when the Board of Land and Natural
24 Resources rubber-stamped A&B and EMI's requests -- drain
25 public streams dry and to let harmful diversion

1 structures remain in place.

2 Although some of the issues dealing with East
3 Maui streams can be very complex, the issues in this case
4 are quite simple.

5 The evidence in this case will show four
6 things. First and most importantly, DLNR failed to
7 protect the streamflows within 13 streams. Second, DLNR
8 failed to deal with the harmful diversion structures on
9 public land. Third, DLNR failed to hold Alexander &
10 Baldwin to its burden. And fourth, DLNR failed to ensure
11 that A&B cleaned up its trash that litters public land.

12 First issue, the 13 streams. In renewing the
13 permits, DLNR authorized A&B and EMI to take all the
14 water from 13 East Maui streams, all of it. The Board
15 did not require that 64 percent of the base flow remain
16 in these streams.

17 More than three decades ago, the Water
18 Commission set flow standards for all streams in East
19 Maui. The standard was whatever was flowing on June 15,
20 1988. The Board, however, doesn't know how much water
21 was flowing in these 13 streams as of June 15, 1988. And
22 so the existing diversion structures can capture as much
23 water as they've been taking for decades, all of this
24 flow, plus more. When all base flow is taken, you're
25 left with a dry stream bed. Board of Land and Natural

1 Resources knows that or it should.

2 Among the 13 streams, A&B can completely
3 dewater our Ho`olawa Stream and Kolea Stream. You'll see
4 photographs showing A&B's diversions taking all of the
5 water from Ho`olawa Stream.

6 THE COURT: Can you spell that?

7 MR. FRANKEL: Sure. It's in the glossary, but
8 it's H-o-`-o-l-a-w-a.

9 THE COURT: Thank you.

10 MR. FRANKEL: The Department's Division of
11 Aquatic Resources concluded that restoration of
12 streamflows to Kolea Stream would benefit native species.

13 And perhaps most importantly, A&B provided
14 DLNR a study showing that the diversion of water from
15 these 13 streams reduces suitable habitat to native
16 aquatic species by 85 percent.

17 But the Board did not care enough to protect
18 the flow of these 13 streams. It did not protect the
19 `o`opu and `opae that should thrive in these streams.

20 Second issue. Diversion structures.
21 Diversion structures on the 13 streams harm `opae and
22 `o`opu. Diversion structures on other streams need to be
23 modified as well. The Board knows that diversion
24 structures harm native aquatic species. They block
25 migration and can entrain or capture them and their

1 larvae. Diversion structures also facilitate mosquito
2 breeding and they mar natural beauty. That includes
3 diversion structures of Puohokamoa and Hanawi Streams.

4 Again, the court reporter should have the
5 glossary on that.

6 The Department's Division of Aquatic Resources
7 concluded that it would be relatively simple to modify
8 the diversion structures on these two streams. But the
9 Board has ignored the Division of Aquatic Resources'
10 recommendations and concerns expressed by the
11 Department's Division of Forestry and Wildlife. The
12 Board did not require modification of any structures on
13 any of these Maui streams on public land, nor did the
14 Board set any deadlines for modifying the harmful
15 diversion structures.

16 Third issue. A&B's burden. The Board has
17 catered to A&B's whims without requiring adequate
18 information from A&B. It has not asked A&B and Mahi Pono
19 how much water is necessary for each crop. It has not
20 asked why alternative water could not be used for these
21 crops. Before making its decision, the Board did not
22 know precisely how the diverted stream water had been
23 used or how it would be used.

24 The Board authorized A&B to take 66 percent
25 more water this year than it took in 2019, but it doesn't

1 know which streams the increased diversions will come
2 from. It hasn't even bothered to ask. It does not know
3 how much of the diverted water is wasted, and it did not
4 ask about A&B about mitigation measures.

5 Fourth issue. Trash. Trash continues the
6 litter these lands. DLNR has asked A&B to clean up its
7 mess, but the Department has not followed up. It has not
8 investigated, it has taken no enforcement action, even
9 when A&B's claims have been shown to be hollow.

10 For years, the Board of Land and Natural
11 Resources defendants have sat by while A&B leaves our
12 streams bone dry and public lands littered with rubbish.

13 On its website, the Division of Aquatic
14 Resources states, Maintaining the natural pattern of
15 water flow in streams is the single most important
16 requirement for protection of native Hawaiian stream
17 animals. Nevertheless, the Board of Land and Natural
18 Resources has rubber-stamped A&B's continued use of
19 public land and diversion of dozens of streams instead of
20 protecting them as is their constitutional mandate.

21 Thank you.

22 THE COURT: Thank you. All right. Who's
23 going next for any of the defendants?

24 I assume, Mr. Schulmeister, you're going
25 first? All right.

1 MR. SCHULMEISTER: Yes. And I intend to refer
2 to a map that is part of J-20 at 58. So if the Court
3 could get J-20. Is the Court going to be able to look at
4 the map while I make my opening statement?

5 THE COURT: I'm clicking on it right now.
6 Let's see if this works. Hang on.

7 You said J-20; right, Mr. Schulmeister?

8 MR. SCHULMEISTER: Yes. That's correct.

9 THE COURT: It's a Draft EIS?

10 MR. SCHULMEISTER: Right. Yes.

11 THE COURT: Which page do you want me to look
12 at?

13 MR. SCHULMEISTER: The primary page -- the
14 primary map I'm referring to is one on page 58, Bates
15 stamp page 58.

16 THE COURT: Okay. Hang on. Okay. I got it.
17 Thank you.

18 MR. SCHULMEISTER: All right. And there's
19 also a map at Bates stamp page 40 that I may also be
20 referring to. But I just wanted to make sure the Court
21 was able to look at it.

22 The other exhibit that I'm going to be
23 referring to extensively is Exhibit J-14, which is the
24 Water Commission's 2018 Findings of Fact, Conclusions of
25 Law, Decision and Order on the IIFS petitions. I'm going

1 to be quoting from it. If the Court wants to follow
2 along, you know, I'm just giving the Court a heads up
3 that I'm going to be quoting a lot from Exhibit J-14.

4 THE COURT: All right. Let me go ahead and
5 open that one then so at least I'll have it ready. Hang
6 on. Okay. I have both -- I have both those up and
7 ready.

8 MR. SCHULMEISTER: Okay. So I think that the
9 trial memorandum that the Sierra Club filed is -- is very
10 useful. And to me, the point of departure from our
11 opening statement I think is best taken from the last few
12 pages of it where they summarize the relief that they're
13 asking for in this case.

14 So -- and I think it's very telling and
15 important that notwithstanding the argument that we had
16 yesterday and that we've had in the past about whether
17 the Sierra Club was seeking a declaration that the
18 revocable permits are invalid, you'll see that they do
19 not refer to that as being among the relief that they're
20 asking for in this case.

21 And what they say is the Sierra Club's
22 preference is that the Court order the cessation of
23 diversion from all 13 streams.

24 THE COURT: Hang on. Hold on. I've got
25 the -- you said their trial brief; right?

1 MR. SCHULMEISTER: Yeah. The trial memo. I'm
2 at page 49 of their trial memo.

3 THE COURT: Okay. Hold on.

4 MR. FRANKEL: Your Honor.

5 THE COURT: Yes, sir.

6 MR. FRANKEL: I know it's unusual to object in
7 an opening statement, but I do want to point out that
8 it's opening statement. It's not opening argument.
9 Opening statement is supposed to be about the facts that
10 are going to be discussed in the case rather than legal
11 argument.

12 THE COURT: I appreciate that, but there's no
13 jury here, and the Court is welcoming any and all
14 information anybody wants to give it. So objection's
15 overruled.

16 All right. Mr. Schulmeister, I'm at page 49.
17 Go ahead.

18 MR. SCHULMEISTER: All right. So the -- at
19 the bottom of the page, I mean they say what their
20 preference is, which is the cessation of all diversions
21 or the diversion from all 13 streams and a deadline for
22 removal and alteration of harmful structures. But then
23 they say they recognize practical realities, etc.

24 And then in the second to last line, they say
25 Thus the Sierra Club offers a more nuanced approach. And

1 then from there forward, we see that this essentially is
2 now where the Sierra Club is in terms what they're asking
3 for.

4 And if you go to page 50, the first full
5 paragraph, it says, At the conclusion of the trial,
6 Sierra Club will offer specific language for injunctive
7 relief, etc. For the present, the Court can consider the
8 following. And they're asking for injunction.

9 The critical thing is what happens on the next
10 page, which is page 51, because the entirety of what is
11 listed on page 51 -- if you look at the first bullet,
12 it's talking about essentially interim instream flow
13 standards for the 13 streams.

14 The second bullet point, same thing.

15 Third bullet point, BLNR defendants require
16 the applicants to assess diversion structures, etc., and
17 which again is something that is -- all of these things
18 are specifically within the exclusive jurisdiction of
19 CWRM.

20 And in the next bullet, evaluate all the
21 diversions and degree to which it affects adversely
22 native life, etc. And then requires removal and
23 altercation of these modification structures.

24 And then even on the next page, the bullet
25 there says BLNR defendants justify allowing less water

1 than is needed to provide suitable habitat.

2 All of these bullets are things that are
3 covered in the Exhibit J-14, which is the Water
4 Commission's finding.

5 And so -- and basically -- so the first point
6 that Mr. Frankel makes is the -- the allegation that the
7 public trust was breached by not protecting the 12 or 13
8 streams.

9 CWRM has exclusive jurisdiction over the
10 interim instream flow standards for all streams in the
11 state, including the 12.

12 In the D&O, Conclusion of Law No. 10,
13 Commission shall have exclusive jurisdiction and final
14 authority in all matters relating to implementation and
15 administration of the code.

16 Since August the 1st of 2016, neither BLNR nor
17 this Court has any supervisory jurisdiction over CWRM.
18 And I hope the court reporter's following me. CWRM is
19 what I mean when I say CWRM.

20 At that time the water code is amended to say
21 that any other law to the contrary notwithstanding,
22 including Chapter 91, any contested case under this
23 chapter shall be appealed from a final decision and order
24 or preliminary ruling directly to the Supreme Court for
25 final decision.

1 And then this is -- HRS 174C-12.5(a) and 12.5
2 (b) says, The Supreme Court shall give priority to
3 contested case appeals of significant statewide
4 importance and shall decide these appeals as
5 expeditiously as possible.

6 So since August 1st of 2016, this Court has no
7 supervisory jurisdiction whatsoever over anything that is
8 in the exclusive jurisdiction of CWRM. And the setting
9 of interim instream flow standards is particularly what
10 the public trust requires for setting those. And the
11 modification or removal of the diversion structures are
12 both within the exclusive jurisdiction of CWRM. And they
13 were both addressed in the J-14.

14 So if CWRM is not a party to and cannot be
15 made a party to this proceeding, it's not going to be
16 bound by any ruling this Court makes regarding whether an
17 IIFS amendment is required for the 12 streams. The only
18 way an IIFS amendment for these 12 streams can be made is
19 for a petition to be filed with CWRM for amendment
20 because nobody appealed the -- the 2018 decision.

21 Sierra Club could have and still can file such
22 a petition. Sierra Club has not exhausted its remedies
23 with regard to the pursuit of an amended IIFS for the 12
24 streams. And if Sierra Club does file an IIFS petition,
25 its only recourse for challenging any failure by CWRM to

1 amend the IIFS for the 12 streams is an appeal to the
2 Supreme Court of Hawaii. No recourse is available in
3 this court. In other words, the bus never stops here in
4 Circuit Court on any challenge to what CWRM does with
5 regard to setting an IIFS.

6 So -- now, completely apart from the fact the
7 Court lacks any supervisory jurisdiction over CWRM, CWRM
8 is not required by the Public Trust Doctrine to order the
9 restoration of every stream. Sierra Club argues as if
10 all that matters is that diversions reduce available
11 habitat. But -- this is quoting now from *Waiahole*. The
12 public has a definite interest in the development and use
13 of water resources for beneficial and private offstream
14 purposes. Therefore, apart from the question of
15 historical practice, reason and necessity dictate that
16 the public trust may have to accommodate offstream
17 diversions inconsistent with the mandate of protection,
18 to the unavoidable impairment of public instream uses and
19 values. That's *Waiahole*, 94 Hawaii 97, page 141.

20 So in other words, reason and necessity
21 dictate that not every stream must be restored.

22 Now, the fact that diversions can reduce
23 habitats is not a controversial proposition. And today
24 you're going to hear testimony from Mike Kido, which
25 basically says in generic terms, yeah, these diversions

1 can be bad for habitat of these species. That's not a
2 controversial proposition. This has been known for a
3 long time. That's not what the case is all about. This
4 case is not about whether diversions can impair habitat.

5 What this case is about is the extent the
6 streams need to be restored or diversions need to be
7 modified, you know, where will it be restored and what
8 will the modifications be. And Mr. Kido's not going to
9 have anything to say about that.

10 So reduced habitat and diversion is a given,
11 but it's not enough standing alone to establish the
12 restorations requirement.

13 For a stream to be restored, it needs to be
14 done strategically. And it is CWRM's job to do the
15 balancing and make the strategic choices regarding which
16 streams should be restored and by how much.

17 Now, here we have an integrated ditch system.
18 And if you look at the exhibit, page 58 of J-20, you'll
19 get a bird's eye view of the system in relation to the
20 licensed areas and the stream. So if the Court could
21 take a look at for a moment.

22 THE COURT: I have it right up in front of me,
23 so go ahead.

24 MR. SCHULMEISTER: This is a very useful
25 exhibit. As I'm sure the Court has seen in much of the

1 memos, there are four licensed areas. Huelo is the pink
2 one on the left. And so the color coded areas -- Huelo,
3 Honomanu, Keanae, and Nahiku -- those are the licensed
4 areas. And then you can see that this system crosses the
5 licensed areas and it also crosses areas that are not
6 within the licensed areas.

7 So I think that it's important for the Court
8 to understand that -- that this is a single system. And
9 the -- what this particular map reflects is how the CWRM
10 D&O allocated restoration amounts among the -- among the
11 streams.

12 The -- the 12 streams that are going to be
13 talked about are in the Huelo licensed area. If you look
14 at the color code, the blue color coding is referred to
15 as non-IIFS streams but really non-petitioned streams.

16 And if you go further to the right, you'll see
17 in Keanae, for example, pink streams, which are full
18 restorations.

19 Basically what ended up happening is CWRM
20 needs a judgment about how much water would be restored
21 where. And in coming to that judgment, CWRM considered
22 the total amount that historically has been collected
23 from the ditch system. And this includes water not only
24 within the licensed areas but also outside the licensed
25 areas.

1 But the important issue here for the 12
2 streams is that it includes water collected from the 12
3 streams and it also included water collected west of the
4 12 streams. And if you flip to page 40 of J-20 for a
5 moment -- if you have that.

6 THE COURT: I'm there.

7 MR. SCHULMEISTER: What you see there -- yeah.
8 What you see there is the dark green area is the licensed
9 areas, but the larger area in red is the collection area.

10 So what this shows is that water collected
11 from the licensed areas is perhaps most but not all of
12 the water collected from the system. You also have water
13 collected from privately owned land, you know, in the
14 Huelo area in particular. You'll see that a lot of the
15 lower ditches there are actually on EMI land.

16 And there's also private land to the west of
17 the licensed area. And you know, Maliko Gulch is to the
18 left of the licensed area. And all of the volume
19 calculations of water collected by the system in the D&O
20 are based on water collected at Maliko Gulch. That
21 includes --

22 THE COURT: Time out.

23 MR. SCHULMEISTER: -- all of the petitioned
24 streams, includes all of the non-petitioned streams that
25 are within the license area, and --

1 THE COURT: Mr. Schulmeister.

2 Mr. Schulmeister. Mr. Schulmeister.

3 MR. SCHULMEISTER: Yes.

4 THE COURT: You're just going way too fast for
5 me right now. Just letting you know.

6 MR. SCHULMEISTER: I'm sorry?

7 THE COURT: You are going way too fast for me
8 right now. You're making reference to places on this map
9 that I can't read as easily and as quickly as you're
10 moving. So you want to dial it back a little bit and
11 give me a chance to keep up with you. All right? Thank
12 you.

13 MR. SCHULMEISTER: Okay. All right. I'll try
14 to do that.

15 THE COURT: Thank you.

16 MR. SCHULMEISTER: So I'd like to refer now to
17 the D&O itself, which is Exhibit J-14 at page 41.

18 And this is Bates stamp page 41.

19 THE COURT: I'm there.

20 MR. SCHULMEISTER: All right. So basically
21 what you have here is a list of streams that -- that CWRM
22 considered. And for the Huelo licensed area, you'll see
23 Huelo licensed area and there's a list of streams. The
24 streams that are underlined are petitioned streams. The
25 streams that are not underlines are the 12 streams that

1 are being complained about. So these are all in the
2 Huelo licensed area.

3 And then if you go below that, No. 59, which
4 is -- talks about additional streams, which is Honopou
5 and Maliko Gulch. And then at the bottom of the page
6 there's one stream and then there's five more on the next
7 page.

8 So basically what they're citing here is the
9 fact that the collection system includes not only the
10 petitioned streams, but the 12 non-petitioned streams in
11 the Huelo licensed area and another 6 streams to the west
12 of the Huelo licensed area.

13 So what CWRM had to do then was to look at
14 what the total amount collected was and to the extent
15 that restoration was going to be ordered, it had to
16 strategically decide where the restoration was going to
17 be.

18 So if you go back to J-20 at 58, what you'll
19 see is that the restoration is concentrated heavily in
20 the Keanae area to the east. And as you move to the
21 west, there's -- you know, the 12 streams, as has been
22 pointed out, were non-petitioned streams. And so there
23 was no amendment for those.

24 So -- so the assumption of the analysis was
25 that the restoration would be, you know, obviously

1 concentrated in Keanae, which was advocated for heavily
2 by the Native Hawaiian Legal Corporation. And it was
3 also spread out. And the other thing is even in Huelo,
4 you'll see that the Honopou Stream, which is the furthest
5 to the west, is a full restoration stream and also the
6 Hanehoi Stream. And then there's some other. What the
7 facts are going to show is that 40 percent of the water
8 that has been historically collected from the -- this
9 system is from the Huelo area.

10 So when the Water Commission in balancing
11 offstream and instream demands -- they need a judgment
12 that they're going to concentrate restoration in Keanae
13 and Huelo. They're going to do it in Honopou and
14 Hanehoi. And the remaining streams weren't going to be
15 restored. And as I point out, they don't all have to be
16 restore under the Public Trust Document. There has to be
17 a balancing.

18 In order to accommodate agriculture in Central
19 Maui, there had to be streams that will continue to be
20 diverted. And that's what the Water Commission decided
21 and concluded, these 12 streams.

22 Now, if you look at J-14, page -- Bates
23 stamp page 44, very important finding here, which is that
24 the Division of Aquatic Resources, DAR, supports the
25 following positions. And this is the middle paragraph.

1 The prioritization of the East Maui streams, in other
2 words, where are we going to restore and where are we not
3 going to restore, is based on the "biggest bang for the
4 buck" concept where priority is placed on streams with
5 the greatest potential to increase suitable habitat.

6 The next paragraph. Very critical. The
7 restoration of suitable flows to a single stream
8 is more appropriate than the return of inadequate
9 flow to multiple streams. DAR supports the tradeoffs
10 on the restoration of a smaller number of
11 streams with sufficient water over the return of
12 insufficient water to a larger number of streams.

13 And if you go back to -- okay. So the point
14 is that the Water Commission had the discretion and the
15 obligation to prioritize. And that's what they did. And
16 it included the 12 streams.

17 Now, on diversion structures, the Water
18 Commission specifically found -- and this is at page 19.
19 There's two pages I'm going to refer to. Page 19 of the
20 D&O and page 292. At page 19 --

21 THE COURT: Mr. Schulmeister, please don't use
22 the D&O. Please use an exhibit number.

23 MR. SCHULMEISTER: Exhibit J-14.

24 THE COURT: Thank you. What page?

25 MR. SCHULMEISTER: Page 19, Bates stamp page

1 19.

2 THE COURT: Okay. I'm there. Go ahead.

3 MR. SCHULMEISTER: We recognize that a
4 universal remedy to modify or remove diversions is not
5 practical. At this time, the Commission's overall
6 guidance is to not remove diversion structures if
7 modification can achieve the desired results.

8 And then the other page is 292, which is, you
9 know, obviously --

10 THE COURT: I'm there.

11 MR. SCHULMEISTER: So page 292 is -- this is
12 part of the order.

13 It is intended that diversion structures only
14 need to be modified to the degree necessary to accomplish
15 the amended IIFS and to allow for passage of stream
16 biota, if needed.

17 This Order does not require that every
18 diversion on every tributary be removed or modified. The
19 Commission is only looking at modifications to main stem
20 and major diversions to accomplish the amended IIFS set
21 forth above. It also recognizes that it is not the
22 purpose of this proceeding to determine how the
23 diversions will be modified. That issue will be
24 before the Commission in a subsequent process.

25 The intent of the Commission is to allow for

1 the continued use and viability of the EMI ditch system
2 and will not require the complete removal of diversions
3 unless necessary to achieve the IIFS.

4 So this is the core of what the breach of
5 trust claim is and the relief being sought -- is we want
6 the Board to essentially redo the analysis of what the
7 appropriate strategic restoration of streamflow would be
8 and we want the Board to redo what the Water Commission
9 has already decided within its exclusive jurisdiction in
10 terms of dealing with diversions.

11 So I realize my time is getting a little short
12 here and there's a lot of things I want to say, but I'm
13 going to try and sum up here.

14 You know, the evidence will show that there is
15 no emergency that requires this Court's intervention.
16 The Sierra Club is simply trying to enlist this Court in
17 an attempt to prelitigate in a non-binding way issues
18 that are not yet ripe with regard to the pending
19 Environmental Impact Statement and the Board's later
20 action on the long-term lease obligation.

21 Sierra Club will not be able to show they will
22 suffer any harm in being left with Chapter 343 -- once an
23 Environmental Impact Statement is accepted and its
24 opportunity to be heard and to challenge any future
25 action by the Board on the long-term lease disposition.

1 There's no reason and no legal basis for the
2 Court to inject itself into the IIFS and diversion
3 modification process, the only purpose of which will be
4 to interfere with the first phase of the diversified
5 agricultural rollout, which the Board of Land and Natural
6 Resources has unanimously found to be in the public
7 interest, which CWRM has unanimously found to be
8 consistent with the Public Trust Doctrine, and which the
9 County of Maui and its mayor unequivocally support.

10 Final point I think that -- if you look at
11 J-14 at page 289.

12 THE COURT: I'm there.

13 MR. SCHULMEISTER: And this is finding -- I
14 mean, it's Conclusion of Law No. 152.

15 It says, While the commission recognizes that
16 the water that may be licensed by the Board from the
17 petitioned Maui streams may not be sufficient to satisfy
18 the full implementation of the diversified agricultural
19 plan -- and this is a critical point -- it is expected
20 that a sufficient amount of non-instream water would be
21 available under this decision to provide the initial
22 phase of allowing the lands already designated as
23 important agriculture lands in Central Maui to be
24 developed for diversified agriculture.

25 Now, what --

1 THE COURT: Just a moment. Mr. Schulmeister,
2 hold on, hold on, hold on.

3 Just for the record, you added a parenthetical
4 during that quote. I don't think it was deceptive at
5 all, but I just don't want the record to think you were
6 quoting exactly 'cause you weren't. That's okay. Go
7 ahead.

8 MR. SCHULMEISTER: So basically, you know, I
9 think that the Court needs to consider, given its lack of
10 authority under the Water Code to second-guess or review
11 what the Water Commission did, what exactly is really
12 being sought here and whether or not it makes any sense.

13 We obviously believe that, you know, Sierra
14 Club has a remedy with regard to both the issues of
15 instream protection and diversion modification, which is
16 to petition the agency that does have the authority. And
17 if they don't like it, they can take an expedited appeal
18 to the Supreme Court. That issue is not intended to ever
19 be adjudicated in this court. And you know, this Court
20 shouldn't be making advisory rulings just to position
21 Sierra Club for later EIS challenges or later lease
22 challenges that aren't ripe yet.

23 And that's really what's happening. There's
24 no harm to the Sierra Club of being left to whatever
25 remedies it has before the Water Commission.

1 THE COURT: All right. Thank you. And before
2 I hear from anyone else, we're going to take a break.
3 Ten minutes. Please come back at five minutes after
4 10:00. Thank you. We're in recess.

5 (A recess was taken.)

6 THE COURT: All right. Court is recalling the
7 case. All counsel appear present on the video screen.
8 So who's going next? Ms. Goldman? Mr. Rowe? Okay. Go
9 ahead.

10 MR. ROWE: Thank you, Your Honor.

11 The County's position in this case is
12 primarily to -- the public interest in the reissuance of
13 the revocable permits.

14 This position is twofold. On the one hand,
15 there is immediate needs of domestic drinking water to
16 approximately 35,000 people in Upcountry, Maui. This
17 includes major population centers such as Kula, Makawao,
18 Haiku, and Pukalani. And this use has been recognized as
19 a public trust purpose by both the Commission on Water
20 Resources Management and the Board of Land and Natural
21 Resources.

22 The evidence will show that while the County
23 have made efforts to reduce its reliance on water from
24 the licensed areas since Judge Nishimura's decision in
25 *Carmichael*, the County still relies heavily on the

1 delivery of water from East Maui Irrigation, especially
2 during low flow conditions which limit the availability
3 of water at the County's two other treatment plants in
4 Upcountry Maui.

5 The second level of public interest that the
6 County is concerned with is all the importance of the
7 agriculture, especially diversified agriculture as a
8 source of jobs and food security for the County. This
9 interest is qualified in the Maui County's General Plan
10 2020 and is also a priority for the current
11 administration, as the managing director Sandy Baz will
12 testify to on behalf of Mayor Michael Victorino.

13 And so we believe that the evidence will show
14 that the Board did undertake the proper balancing of the
15 public trust considerations in reaching a determination
16 to reauthorize the revocable permits. Thank you.

17 THE COURT: Thank you.

18 All right. State of Hawaii.

19 MR. WYNHOFF: Your Honor, we'll ask that you
20 have available or get in front of you at some point page
21 580, 580 of J-20.

22 THE COURT: All right.

23 MR. WYNHOFF: I'm going to make some reference
24 to that.

25 THE COURT: Hold on one second. When you

1 stand up, you move away from the microphone and it gets
2 worse. All right. J-20. What page? 500 something?

3 MR. WYNHOFF: 580, Your Honor.

4 THE COURT: 1-2-8-0?

5 MR. WYNHOFF: 5-8-0.

6 THE COURT: All right.

7 MR. WYNHOFF: 0-5-8-0. So I'm talking about
8 580.

9 THE COURT: Got it.

10 MR. WYNHOFF: Thank you, Your Honor. So Your
11 Honor, I'm going to plow some of the same ground that
12 Mr. Schulmeister and Mr. Rowe have plowed, but I just
13 want to -- you know, put it in context a little bit.
14 It's always a little scary when you start off 300 years
15 ago. But 100 years ago, as we all know -- yeah, 100
16 years ago, the economy in the State of Hawaii was sugar.
17 And sugar has three needs, mainly water and sun and land.
18 And so 100 years ago the economy and the political
19 structure of the then Territory of Hawaii was sugar.

20 And during that era, water was diverted
21 famously into well known Ewa Plains. James Campbell came
22 up and dug an Artesian well. Water came from the Leeward
23 side of Oahu to the other side. That's why we have the
24 Waiahole case. Water came from -- more specifically,
25 water came from East Maui watershed to the central plains

1 of Maui.

2 Prior to water coming there, the central
3 plains of Maui were essentially a nonproductive dust pool
4 and water there brought life to sugar.

5 Now the sugar companies have gone out of
6 business over the years. When I started off in 1979,
7 worked for Cades Schutte Fleming and Wright, and we still
8 represented Pioneer Mill and HC&S. Those folks were
9 there. During the '80s and into the '90 they went out of
10 business. So there's always the State of Hawaii -- the
11 historic question and the historic choice what are we
12 going to do with the water that is now no longer needed
13 for sugar?

14 Your Honor, at page 580 that I've asked you to
15 look at is similar to and to some extent overlaps the map
16 that Mr. Schulmeister asked you to look at. It
17 emphasizes different things. The colored area is the
18 entire watershed. The one that Mr. Schulmeister showed
19 you had just the license -- the revocable permit area.

20 The entire watershed is 56,000 acres of
21 licensed area. That is the land that the state owns. In
22 this watershed is some 33,000 acres. So I join
23 Mr. Schulmeister's emphasis that what this case is about,
24 despite what Sierra Club would have you believe, is it's
25 about the entire watershed.

1 And so circle back to my historical time line.
2 As the sugar companies went out of business in the
3 1980s -- in the '80s and '90s, this matter then became
4 presented to the State -- what are we going to do with
5 our water. It's clearly our water. When I was at Cades
6 Schutte in 1979, that was a remarkable tour de force for
7 Mr. J. Russell Paige that now is our public water,
8 wherever it comes from.

9 Now, this matter, Your Honor, has been before
10 the Board of Land and Natural Resources since at least
11 the Year 2000 and to some extent before. It's been
12 before the Counsel on Water Resource Management, CWRM,
13 since at least 2008, from some 12 years ago, when Na Moku
14 and I forget the rest of it, and I apologize for that,
15 brought some petitions with respect to some of the
16 streams in this watershed area.

17 Another petition was filed in 2010.
18 Mr. Frankel talked about administrative hell. I guess we
19 can decide -- we can argue about whose administrative
20 hell it was, but in any event, it went up and down the
21 courts for quite a while. Then CWRM came out with that
22 remarkable several hundred page decision that you have in
23 front of you as J-14, in which the Sierra Club said the
24 other day, extremely importantly, they do not take issue
25 with and they do not disagree with.

1 So what we have here when we look at this
2 entire watershed, Your Honor...

3 So what we've talked about is we have a 66,000
4 acre watershed. We have 33,000 acres that are covered by
5 the revocable permits. Historically the water system
6 that was built 100 years had a total capacity of 450
7 million gallons per day. That's MG -- MGD is an
8 important abbreviation that you'll hear. Million gallons
9 per day. Historically the system had 450 million gallons
10 per day capacity. Back when sugar was thriving, it was
11 using 160 to 165 million gallons per day. More recently,
12 that is in the '80s and '90s, it was 126 million gallons
13 per day.

14 In 2019, Your Honor, the present limitation on
15 water that comes from that watershed is 45 million
16 gallons per day. And in fact, what is in fact coming out
17 is less than 30 million gallons per day.

18 But not satisfied with this 80 percent
19 reduction in water coming -- already 80 percent water
20 coming out of the watershed, Sierra Club asks you to put
21 water back into the 13 streams, ignoring or asking this
22 Court to ignore the fact that the water from the entire
23 watershed has already been reduced by 80 percent and that
24 over a 12-year span, taking enormous amounts of evidence,
25 that CWRM has decided that it would be appropriate to

1 leave this amount of water in the instreams.

2 Some of the things that I want to emphasize to
3 you again are things that you heard from
4 Mr. Schulmeister. I want to emphasize to you that
5 Mr. Frankel on behalf of Sierra Club told us on Friday
6 that they were not attacking and do not disagree with
7 CWRM's discussion. So I would like to point out to you
8 the same thing -- that at Finding of Fact No. 150 of
9 J-14, In not requiring full restoration of all streams,
10 the Commission has allowed for some streams to continue
11 to be diverted so that the Board may license the
12 diversion of water not needed to meet the IIFS from these
13 streams for noninstream use.

14 The Commission -- one of their findings on
15 page 27 -- well, Mr. -- Mr. Schulmeister already read to
16 you that the provision where -- I'm just going to
17 summarize because I don't have it -- what DAR said.
18 Division of Aquatic Resources indicated that and the CWRM
19 adopted that there are other uses besides instream uses.
20 We're talking about most bang for the buck and we're
21 talking about the idea that DAR believes it's better to
22 fully restore some of the streams rather than partially
23 restore all of 'em.

24 I echo Mr. Schulmeister's comment that nobody
25 disputes that it would be better for one particular

1 public trust interest to leave all the water in the
2 stream. Of course it would be better for the -- for the
3 animals in these particular streams. There would be more
4 animals in these particular streams if there was more
5 water in these particular streams. But these animals are
6 not the only interest in the world. They also have --
7 and the Board was required or the CWRM was required to
8 consider and the Board was required to and did consider
9 other public interests.

10 For example, we have -- we have the -- we have
11 agricultural lands. Even though that isn't potential
12 commercial use and is not the highest possible public
13 trust use, arguably it still is public trust use. We're
14 talking about -- let's see -- we have -- we have 30,000
15 acres of agricultural land in Central Maui. Of these
16 30,000 acres, 22,254 are important agricultural uses.

17 At page 179 of Finding of Fact 334 of the CWRM
18 decision, the CWRM points out the important agricultural
19 land designation is a commitment to keep those lands in
20 productive agricultural use over the long term.

21 Public interest the CWRM decided at its
22 decision and order at the end -- I'm sorry I don't have
23 the page. But it was Decision and Order d, as in dog.
24 The public interest includes not only protecting instream
25 values but also preserving agricultural lands and

1 providing adequate water supplies for the County of Maui.

2 In addition to -- in addition to that
3 important interest determined by the people of the State
4 of Hawaii in their statutes, important agricultural land,
5 and the people of the County of Maui in their plans, we
6 also have the domestic water use, which is in fact a
7 special and specific public trust interest and was
8 explained to you by the County and that you'll be hearing
9 more about in this case.

10 The -- what the Sierra Club is asking you to
11 do is to cavalierly substitute this Court decision of the
12 balancing for the public trust -- it's not like nobody
13 else thought of this, Your Honor, and nobody else cares
14 about it. We understand that it would be better for the
15 biota to have -- in those particular streams to have more
16 water in those streams.

17 But the public trust requires more than that,
18 Your Honor. The Constitution specifically provides that
19 the use of the resource has to be balanced and has to
20 include development. And for the Sierra Club to come in
21 and cavalierly tell you that this is a simple case and
22 that you can simply put water back in these 13 streams
23 without taking into account the enormous amount of
24 evidence and work and thought that has gone into this
25 over the years is merely remarkable.

1 Your Honor, let me speak briefly to the other
2 matters that have been talked about. With respect to
3 diversion, I would simply echo what Mr. Schulmeister has
4 said. I don't -- I don't mean to quote it again, but --
5 said that they don't ask you to overturn the CWRM's
6 decision. The CWRM specifically said -- specifically
7 said that these diversions should stay there. They can
8 be closed. They said some are closed, some are not
9 closed. But don't forget this is an interim instream
10 flow standard. It may be at some point some of these
11 things are going to be reversed.

12 And also in the CWRM decision, the CWRM points
13 out that in order for these diversions to be taken out,
14 you need to have building permits from the County of
15 Maui. You need to have information from Office of
16 Coastal and Conservation land because some of it is on
17 conservation district land. You need to have input and
18 permits from the U.S. Army Corp.

19 So it's not just that this Court can
20 cavalierly and simply tell the -- tell A&B to take out
21 these diversions. Doesn't work that way, Your Honor.

22 With respect to trash, and I probably should
23 resist but I won't, one man's trash is another man's
24 treasure. The evidence will show that there isn't any
25 trash in there. Once trash was found it was taken out.

1 What Sierra Club calls trash in many cases is
2 simply building supplies. That are these diversions.
3 And the work up there are in very remote areas. And so a
4 bunch of building supplies are left up there. It's
5 rather cavalier to simply call them trash.

6 So Your Honor, to sum up, when you're
7 listening to the evidence in this case, please keep in
8 mind that this is an entire system that has been studied
9 extremely carefully for more than -- certainly for more
10 than ten years, but probably for more than twenty years.

11 A very reasoned decision was made that not all
12 the water stays in the watershed. A very reasoned
13 decision was made that the public trust demands that
14 water be used for domestic use and that water be used for
15 important agricultural land. A very reasoned decision
16 was made that certain streams would be fully restored and
17 others would not be restored at all. A very reasoned
18 decision was made, in other words, that we would get more
19 bang for the buck by restoring water to some streams and
20 not for others.

21 And I also echo Mr. Schulmeister for Sierra
22 Club to come in after 80 percent of the water has already
23 been restored to the streams and say, oh, it's no problem
24 for you to just put more water back in these 13 streams
25 is an extraordinary request, Your Honor.

1 Whether or not you can do it, we leave that
2 for later on. Mr. Schulmeister has talked about
3 jurisdiction. If this Court will be tempted to do so, we
4 simply ask that you listen to the evidence and determine
5 -- we would ask you to determine that the reasoned
6 decision and the reasoned balancing is appropriate and
7 correct. Thank you, Your Honor.

8 THE COURT: All right. Thank you.

9 All right. That finishes our opening
10 statements.

11 I think under our schedule, Mr. Kido is going
12 to be the first and only witness today? Do you need some
13 time before you get him on or what? I'm sorry. You're
14 muted, Mr. Frankel.

15 MR. FRANKEL: Sorry. I believe he's in the
16 virtual waiting room. So I think if the clerk -- I
17 texted him a few minutes ago letting him know we're just
18 about there, so he should be in the virtual waiting room.

19 THE BAILIFF: He's not.

20 THE COURT: Okay. Before we go there, one
21 brief comment. I'm not going to mention any names, but
22 we have some sort of eye rolling and so forth going on on
23 the video screen and we need to cut that out. I don't
24 allow it in the courtroom. I'm not going to allow it on
25 video either. You folks need to keep a professional

1 demeanor. Thank you.

2 All right. We ready to bring him in?

3 Apparently there's no one in the waiting room. We'll
4 take a brief recess. People can stretch their legs and
5 so forth and just come back in a few minutes. All right.
6 Thank you.

7 (A recess was taken.)

8 THE COURT: Okay. It looks like we have
9 everybody present. Everyone ready to go? Okay. We're
10 back on record. All counsel and party representatives
11 are present.

12 I believe Ms. Case I think is on the phone now
13 instead of video. Oh, there she is. Okay. Chair Case
14 is now visible.

15 We have Mr. Michael Kido on the video. I
16 believe that's the witness; correct?

17 All right. Go ahead, Mr. Frankel.

18 MR. FRANKEL: We need him sworn in, Your
19 Honor.

20 THE CLERK: The witness doesn't have to stand.
21 Judge said it was okay. So if he raises his right hand,
22 that would be fine. And ready?

23 Do you solemnly swear or affirm that the
24 testimony you're about to give will be the truth, the
25 whole truth, and nothing but the truth? If so, please

1 respond by saying, I do.

2 THE WITNESS: I do.

3 THE CLERK: Thank you.

4 THE COURT: All right. Mr. Kido, please say
5 your full name and then spell it.

6 THE WITNESS: Michael Kido, M-i-c-h-a-e-l
7 K-i-d-o.

8 THE COURT: All right. Thank you. Go ahead,
9 Mr. Frankel.

10

11

MICHAEL KIDO,

12

Called as a witness by the Plaintiff,

13

having been first duly sworn,

14

was examined and testified as follows:

15

16

DIRECT EXAMINATION

17

BY MR. FRANKEL:

18

Q So Mr. Kido, this is the first time a lot of

19

the attorneys have done -- well, done any sort of virtual

20

trial. So I want to make things really clear. Is your

21

screen on the video the entire screen there in front of

22

you?

23

A It is now. Yeah.

24

Q Okay. And do you have any notes in front of

25

you?

1 A No.

2 Q Okay. Great. Mr. Kido, do you have an
3 opinion regarding the impact of stream diversions?

4 A I do.

5 Q Before you tell me what your opinion is, what
6 do you do for a living?

7 A I'm currently retired from the University of
8 Hawaii at Manoa.

9 Q And what did you do before you retired?

10 A I was a research biologist with the Center for
11 Conservation Research and Training at Manoa Campus.

12 Q And what was your primary research focus as a
13 research biologist?

14 A Primary research focus was on Hawaiian
15 ecology.

16 Q You're breaking up a little bit. Can you say
17 that again?

18 A Stream research -- the focus was on Hawaiian
19 ecology.

20 Q Are you getting a little bit of feedback, Your
21 Honor?

22 THE COURT: We're doing okay. But I think it
23 will really help if he stays a consistent distance from
24 his microphone instead of moving back and forth.

25 THE WITNESS: Okay. I'll try that.

1 BY MR. FRANKEL:

2 Q Okay. So how long have you been studying
3 Hawaiian streams?

4 A Pretty much my entire career, so about 30
5 years.

6 Q And how many Hawaiian streams would you
7 estimate you studied?

8 A Well, there are 376 perineal streams in the
9 State of Hawaii, and I estimate that I've been to a third
10 of those. So roughly 125 streams.

11 Q And how many of those streams have you
12 performed a biological integrity assessment on?

13 A Other than this case, (indiscernible), 34
14 streams statewide.

15 Q What kind of research have you done on the
16 biological integrity of Hawaiian streams?

17 A The focus of that -- of looking at these
18 indexes of biological integrity or IBIs, as it's called
19 in the industry, is to evaluate the human impact on
20 stream ecosystems. We're interested in what level of
21 human impact affects a stream from mountain to sea. So
22 primarily focused on the habitat but also human species
23 presence in relation to the area species presence.

24 Q And what is the Hawaii Stream Research Center?

25 A It's -- the Hawaii Stream Research Center is a

1 laboratory that we established within the Center for
2 Conservation Research and Training at Manoa Campus.

3 Q And what's your relationship with the Hawaii
4 Stream Research Center?

5 A I was its director.

6 Q How many papers, reports, and studies have you
7 prepared on Hawaiian streams?

8 A 67.

9 Q What kind of academic training did you
10 receive?

11 A I have a master's degree from University of
12 Hawaii at Manoa in Zoology.

13 Q I'd like you to take a look at, if you have it
14 there on your iPad, Exhibit 99.

15 A Okay.

16 Q Give people time to get their copies.

17 MR. WYNHOFF: May I have a second to get this
18 on my screen, Your Honor?

19 MR. FRANKEL: Absolutely.

20 THE COURT: Sure. Which party's exhibit is
21 it, Mr. Frankel?

22 MR. FRANKEL: Sorry, Your Honor. Sierra
23 Club's Exhibit 99.

24 THE COURT: All right. Thank you. That is
25 not in yet; correct?

1 MR. FRANKEL: Correct.

2 MR. WYNHOFF: I have it, Your Honor. Thank
3 you, Mr. Frankel, for the courtesy. I really appreciate
4 it.

5 MR. FRANKEL: I think we all have to pause for
6 each exhibit to know what's going on throughout the whole
7 trial.

8 BY MR. FRANKEL:

9 Q Do you recognize Exhibit 99?

10 A I do.

11 Q What is it?

12 A It's a copy of my CV.

13 Q Did you prepare it?

14 A I did.

15 MR. FRANKEL: Your Honor, Sierra Club would
16 like to offer Exhibit 99 into evidence.

17 THE COURT: Is there any objection?

18 MR. WYNHOFF: Your Honor, if I was in a jury
19 trial, my objection would be cumulative. But I'll waive
20 that objection and I do not object to receiving Exhibit
21 99. Bill Wynhoff on behalf of the State.

22 THE COURT: All right. Mr. Schulmeister, any
23 objection?

24 MR. SCHULMEISTER: No objection.

25 THE COURT: All right. Mr. Rowe?

1 MR. ROWE: No objection, Your Honor.

2 THE COURT: Okay. Exhibit 99 is received. No
3 objection.

4 (Exhibit No. 99 was received in evidence.)

5 MR. FRANKEL: Thank you, Your Honor.

6 BY MR. FRANKEL:

7 Q What is the Hawaii Stream Bioassessment
8 Protocol?

9 A The Hawaii Stream Bioassessment Protocol was
10 developed with funding from the State Department of
11 Health. It consists of two multimetric indices, one
12 looking at habitat and the other looking at the level of
13 native species present within that stream site that we're
14 looking at. It is -- it is based -- is there a comment?

15 THE COURT: I think that was just a stray
16 noise. Go ahead, Mr. Kido.

17 THE WITNESS: So it is -- it's called the
18 HSBP. That's how we refer to it. It consists of two
19 multimetric indices, as I mentioned. It looks at the
20 native species presence -- the level of native species
21 presence in a particular stream site and the level of
22 supporting habitat for those species.

23 As I mentioned, it was funded by the
24 Department of -- Hawaii Department of Health. The
25 protocol consists of a standard methodology. So every

1 time we apply it, we follow the same protocol. For
2 example, the stream site is measured and the site -- site
3 length is 20 times its mean width. We go through a
4 pretty elaborate process of setting up a grid within that
5 site. (Indiscernible) -- 100 meters. And the habitat
6 metrics, there are ten of 'em.

7 They're looking specifically on how they would
8 support native species and what -- (indiscernible). So
9 we're looking at flow within that system. We have
10 certain expectations of what a flow would be. So we
11 actually took a flow meter and walk up the site and
12 measure to see if everything we expect is there.

13 I should mention also that the basis of the
14 project is to compare them to other sites in the state.
15 So the first go-around was to visit the best streams in
16 the State of Hawaii and then of those streams apply a
17 protocol and ensure that we're looking at the right --
18 right metrics.

19 So there are ten metrics for habitat, ten
20 metrics for the IBI quality, index for biometric
21 integrity. So habitat is important in that you're
22 looking at human impact, what levels of streamflow are
23 there. Depends on if they're able to block -- to handle
24 static coming in from the watershed. Are there any
25 obstructions like diversions or road crossings. All that

1 is taken into account.

2 The biological part is called the index of
3 biological integrity. That's another industry term
4 labeled IBI. And in that protocol there are also ten
5 metrics which we are also looking at the number of
6 species present as I mentioned, the relationship to any
7 species present.

8 So in high quality streams basically we do not
9 see any alien species except the -- (indiscernible). So
10 the idea is -- (indiscernible) -- metrics or these two
11 protocols and then come up with a score for that site.
12 Our reference streams always get a hundred percent so
13 they're always in the excellent category. For example,
14 Honokapiai Stream on Kauai is one of our reference
15 streams. Every time we sample it -- we probably sampled
16 it a dozen times. So every time we sample it, it reaches
17 a hundred percent, and it's in excellent condition.

18 So when we apply the protocol to another
19 stream, what we're doing is comparing it to the reference
20 stream sites using the two separate methods that I
21 mentioned.

22 BY MR. FRANKEL:

23 Q And before I go on, I want to make sure I --
24 are things -- can the Court hear things clearly enough?

25 THE COURT: Just barely. It could be better.

1 BY MR. FRANKEL:

2 Q Okay. So Mr. Kido, if you could get closer to
3 your monitor. Maybe try to speak up a little more.

4 THE COURT: Hold on one second.

5 THE COURT REPORTER: It's too muffled. I
6 think he's too close. Maybe if he puts on that thing and
7 it's softer, it might be clearer. If he puts on his
8 headset?

9 THE COURT: Let's try it then.

10 What we're doing -- we're having a three-way
11 conversation between me, the court reporter, and court
12 staff. We're sort of taking an inventory on how this is
13 working or not working.

14 Mr. Kido, apparently you folks tested -- you
15 were wearing a headset? And we decided not --

16 THE WITNESS: That seems to be better.

17 THE COURT: We would like you to try that
18 again just so that we can see how it sounds.

19 THE WITNESS: Okay. Testing. Hawaii Stream
20 Research Center, University of Hawaii at Manoa.

21 THE COURT: That's a little better. Thank
22 you. Let's try that.

23 BY MR. FRANKEL:

24 Q All right. Mr. Kido, how much is the Sierra
25 Club paying you to serve as an expert in this case?

1 A I'm working on this case pro bono. Only my
2 travel expenses are being reimbursed.

3 MR. FRANKEL: Your Honor, we'd like to offer
4 Michael Kido as an expert in Hawaiian stream ecology.

5 THE COURT: Any objection? I'm not seeing
6 any. No one's raised their hand. So there is no
7 objection, and that request is granted.

8 BY MR. FRANKEL:

9 Q Mr. Kido, can you please describe the quality
10 of a pristine Hawaiian stream with high biological
11 integrity?

12 A Sure. So this would qualify as one of our
13 referenced sites. What we see in a pristine stream or
14 what we refer to more accurately as a least human
15 impacted stream is robust flows. So nothing preventing
16 full flow coming down the stream system from mountain to
17 sea. So these are streams within ahupua`a.

18 Within these streams we find populations of
19 native species in the right locations along the stream
20 continuum and in very high densities. We also find in
21 the habitat itself not much accumulating sediment. So
22 the system is able to process sediment coming in during
23 floodings or for that matter that naturally falls in,
24 it's all moved downstream and processed. These are
25 nutrients that are put out into the ocean and support the

1 marine fisheries.

2 So this is pretty much what we are looking at
3 when we look at -- we're thinking of a reference stream
4 site or a very high quality stream in the State of
5 Hawaii.

6 Q Thank you. Can I just ask -- I'm sorry.

7 Mr. Wynhoff, can you folks mute your link?
8 When you shuffle your papers, it's coming through. Thank
9 you.

10 Can you briefly describe the `o`opu `alalo`o
11 and the `o`opu nopili and their life cycle?

12 A Sure. First of all, all of the native stream
13 animals are indigenous. So this is kind of a peculiar
14 life history in which all species have mixed marine
15 larval phases. So they produce larvae that needs to get
16 out in the ocean with the plankton in order to mature.

17 `O`opu -- there are also Gobiidae species. So
18 instead of having a separate pelvic fin, the pelvic fins
19 are fused into a sucking disk. The `o`opu use those to
20 navigate the flow in the river. They can climb
21 waterfalls and move past normal -- even climb normal
22 waterfalls.

23 `O`opu `alalo`o and `o`opu nopili we consider
24 sensitive species in our biotic metrics because they are
25 the first species to disappear from the assemblage. As

1 human impact increases, we start to see those two species
2 disappear first of all followed by lowering densities of
3 everybody else. So -- and `o`opu `alamo`o tends to be
4 found in the higher reaches. So a lot of times we find
5 it coexisting with `opaekala`ole, the mountain `opae,
6 which is found way up high in the system.

7 `O`opu nopilis tend to like the lower to
8 middle reaches so we find their highest densities in that
9 section. `O`opu nopili is an obligate herbivore, so it
10 has to feed on algae.

11 `O`opu `alamo`o also feeds on algae, but it
12 can also pick on insects. So I think this is the reason
13 why they're so sensitive to human impact, because one of
14 the first things that happens is that you see the algal
15 base grow. The algal base is influenced by sediment
16 piling up or organic matter piling up within the system,
17 and the habitat becomes smaller.

18 THE COURT: What base is that? I didn't hear
19 that. Algal base?

20 THE WITNESS: The algal base. The algae
21 that's in --

22 THE COURT: Algal base? Thank you.

23 THE WITNESS: Algae, yeah. So nopili
24 particularly are subsisting on diatoms. And the `alamo`o
25 tends to pick off algae, which has insects in it, which

1 is I think why we find insects in its diet.

2 BY MR. FRANKEL:

3 Q Can you very briefly describe the life cycle
4 of the `opaekala`ole.

5 A Sure. `Opaekala`ole, the mountain shrimp, is
6 actually still sought after as food by local people. And
7 as I mentioned, it is found in the highest reaches of the
8 streams. Oftentimes we find the highest densities of
9 `opae above waterfalls. For example, Limahuli Stream on
10 Kauai. High density of `opae are at the top of the
11 falls. And they are also indigenous, so their larvae
12 needs to get out into the ocean and mature with the
13 marine plankton. And as I mentioned earlier, they are
14 still sought after as food for local people.

15 Q What kind of impact can diversion structures
16 have on the biological integrity of a Hawaiian stream?

17 A As I mentioned, one of the key things we're
18 looking at when we look for a reference site is whether
19 there was natural flow within the system. So obviously
20 diversions affect flow. One of the obvious things found
21 that diversions can do is dewater the section of stream
22 below the dam.

23 In particularly prolonged drought periods, the
24 stream sections below the diversion s and the dams can be
25 totally dry. And depending upon when the rains come

1 back, we may see pools accumulate. If animals who head
2 upstream get isolated in those pools, they usually die
3 off. We've seen large die-offs in the Wainiha River, for
4 example, of `o`opu nopili after a prolonged drought
5 period. So that's one thing that diversions do, is dry
6 up the stream.

7 The other thing they do is depending upon
8 where the diversion is located along the continuum, they
9 prevent -- they will entrain larvae that are produced
10 above it. Any larvae that `opae or `o`opu are producing
11 are getting entrapped in the diversion system, dropping
12 through the diversion, and getting -- put -- moved into
13 their irrigation system.

14 So that's two things -- dry up the streambed
15 below it. Also then entrain, recruit larvae that's being
16 produced above it. They also are really effective
17 barriers from animals that are trying to pass it. So
18 depending on where we are, what kind of diversion it is,
19 any juveniles retreating from the ocean may not be able
20 to pass the diversion system.

21 The other thing it does is I mentioned a flow
22 is important in processing sediment and nutrients,
23 organic nutrients from the watershed. If the flow is
24 reduced for extended periods, this doesn't occur and we
25 basically see accumulations of organic matter -- leaves,

1 branches, stuff like that, and mud.

2 If you think about Kalihi stream when you
3 drive by right there on Nimitz Highway, it's just pure
4 mud. So all of the habitats, the cobblestone streambeds
5 that native species require is totally gone.

6 And the last thing they do is because the flow
7 is slowed down, we start to see alien species like
8 guppies, swordtails, those kinds of fishes that can -- do
9 not like fast flow, they can't accommodate fast flow.
10 And they become more common in those systems. These
11 animals can transfer parasites to native `o`opu. And in
12 the best streams, in any reference stream we go to, we
13 never find any alien aquatic species except macrobrachium
14 lar, which has been here since the '50s.

15 THE COURT: Before you continue -- hold on.
16 Can you repeat the last word you just said, Mr. Kido.

17 THE WITNESS: Macrobrachium lar, the Tahitian
18 prawn.

19 THE COURT: Can you spell?

20 THE WITNESS: It's Tahitian, T-a-h-i-t-i-a-n;
21 prawn, p-r-a-w-n.

22 THE COURT: Thank you. And then a couple
23 times in your last answer, you used the word entrain.
24 I'm sorry. I'm just not familiar with that word. Can
25 you explain that, and then repeat whatever points you

1 were trying to make about entrain again so I get it.

2 THE WITNESS: Simply meaning that it's a
3 diversion. If spawning populations are located above a
4 diversion, the animals simply fall into the ditch system,
5 become entrained in it, actually swept into it by flow.

6 THE COURT: Got it. Thank you.

7 All right. Go ahead, Mr. Frankel.

8 BY MR. FRANKEL:

9 Q And is it fair for a layperson to assume the
10 word entrain kind of means capture? Mr. Kido, is that
11 a --

12 A Yeah, yeah. It's an accurate term. It is
13 caught by the flow pulling into the system.

14 Q Now, what is the -- what impact do diversion
15 structures have on temperature?

16 A Generally a -- (indiscernible) -- passed away
17 about 20 years ago. We looked very closely at this. And
18 what we find is that below diversions, we see temperature
19 rise in the stream below it, the segment below it. Of
20 course if the diversion takes all of the water all of the
21 time, there is no flow below it and it's just a dry
22 streambed.

23 Q Now, what effect did a steel grate within a
24 streambed have on the ability of `o`opu and `opae to
25 migrate upstream?

1 A The streambeds that have steel grates across
2 it -- and usually the ones I've seen, the grate extends
3 across the entire channel. The entire stream channel
4 is -- has this steel stream -- steel grate embedded
5 inside it. So that's the level of the streambed.
6 Anything coming down, trying to get down to spawn, for
7 example, drops into that. Any animal trying to come up
8 it comes up falls into it also. It's pretty much
9 impossible for any native stream animal to pass through
10 that steel grate system.

11 Q That's true for the larvae floating down as
12 well?

13 A Yes.

14 Q Do `opae and `o`opu grow in stagnant pools of
15 water?

16 A They cannot. It's too warm. They eventually
17 will die off.

18 Q What problems do pipe culverts pose to `o`opu
19 and `opae?

20 A Pipe culverts -- I usually see these where
21 there is some kind of road crossing. And in order to
22 allow the crossing to go over the streambed, they'll drop
23 a culvert pipe into it. Usually these are fairly large
24 12-inch concrete pipes that always extend outside such
25 streams. So there's no way an animal can pass that. It

1 would have to be able to climb up and climb around and
2 get in the pipe, which is pretty much impossible for any
3 of those animals to do.

4 Q What problem does water flowing over a
5 concrete barrier pose to `o`opu and `opae?

6 A Concrete barrier -- I assume we're talking
7 about a typical kind like we see on Kauai. These are
8 concrete barriers that are poured across the stream and
9 water will overtop and enter the flood levels. Anything
10 below that flood level will all go into the ditch system.
11 So you'll have a dry streambed behind it. Those kinds of
12 impalements are a little easier for animals to pass if
13 there's any flow coming over it. What happens is that
14 over time that concrete starts to get clogged and then
15 you see cracks in the sides.

16 For example, in Wainiha Dam, there's a huge
17 flow on one side of it. And we actually see native
18 animals climb past that. But the idea for those dams is
19 to take as much water as they can, and most of it is
20 going into the irrigation system.

21 Q Now, how is impact from a grate within a
22 streambed different from a traditional kalaloi
23 (phonetic)?

24 A The traditional kalaloi that I looked at on
25 the Big Island in the Waialua River in Waipio Valley --

1 and that's a traditional one in which the kuleana, the
2 families, all manage each -- I think there are like seven
3 diversions or seven `auwai diversions within that stream
4 or maybe more. And there's a family that's -- whose
5 kuleana it is to manage that diversion. The diversion is
6 always placed at natural bends in the stream. The
7 diversion itself is just rocks piled up along the stream,
8 inside the stream to channel water into it. It's really
9 porous so water just flows through it. In Waipio, the
10 diversion never takes more than 30 percent of the flow
11 that's in the stream. And we figured this out by
12 measuring flow above and below it and inside the `auwai.
13 No more than 30 percent is actually getting into the
14 stream, in the `auwai. And the barrier is so porous
15 anyway that most of the water is passing through the
16 barrier. The stream itself is flowing continuously, so
17 native animals have no trouble passing up that system.

18 Q Have you visited any of the streams in East
19 Maui?

20 A I have.

21 Q When?

22 A Initially as we were developing, we were
23 looking for reference streams in the state. We looked at
24 Palauhulu, which goes into Keanae, Alelele Stream and
25 Hanawi. And of these Hanawi turned in one of the

1 reference stream sites.

2 Q And you also visited East Maui streams in
3 February as well?

4 A That's correct.

5 Q I want to ask you about a series of
6 photographs from your site visit in February 2020. And
7 for everyone's convenience, we're going to be looking at
8 Sierra Club's exhibits 50 through 55. But we're going to
9 start with No. 50.

10 So Mr. Kido, we're going to give everyone a
11 minute to get up their screens and what have you, take a
12 look at Exhibit 50, Sierra Club Exhibit 50.

13 THE COURT: You said 58; right?

14 MR. FRANKEL: No. 50, 5-0.

15 THE COURT: Okay. Sorry. Court's ready.
16 Everybody all set?

17 MR. WYNHOFF: I'm ready. Thank you, Your
18 Honor. Bill Wynchhoff.

19 MR. FRANKEL: Looks like Mr. Schulmeister's
20 still looking so I'll just wait a second.

21 THE COURT: You know, usually in trials,
22 usually hard copy courtesy exhibits. This is working --
23 digitally this is working much better. I'm going to use
24 this from now on. I'd still be looking for that exhibit,
25 and there's six binders I have back here. It's easier to

1 just click on it.

2 MR. SCHULMEISTER: I'm good.

3 MR. FRANKEL: Okay. Thank you.

4 THE COURT: Go ahead.

5 BY MR. FRANKEL:

6 Q So do you recognize Exhibit 50?

7 A I do.

8 Q What is it?

9 A This is a photo of Ho`olawa lii lii stream at
10 the Waialua Ditch diversion site.

11 Q Is it a true and accurate depiction of
12 Ho`olawa lii lii stream that day when you visited it?

13 A It is.

14 MR. FRANKEL: Your Honor, Sierra Club would
15 like to offer Exhibit 50 into evidence.

16 THE COURT: Any objection? I'm sorry.
17 Whoever just answered, you got cut off.

18 MR. WYNHOFF: No objection from the State.

19 THE COURT: Mr. Schulmeister?

20 MR. SCHULMEISTER: No objection.

21 THE COURT: Mr. Rowe?

22 MR. ROWE: No objection, Your Honor.

23 THE COURT: Exhibit 50 is received. No
24 objection.

25 (Exhibit No. 50 was received in evidence.)

1 MR. FRANKEL: Thank you, Your Honor.

2 BY MR. FRANKEL:

3 Q What happened to the --

4 THE COURT: Time out.

5 MR. WYNHOFF: Objection, Your Honor.

6 Objection. So this is -- this is -- we had this
7 discussion. He's not allowed to testify to any expert
8 testimony other than what's in his report. This is not
9 in his report. He's now identified this as a true and
10 accurate depiction of that, site but he's not allowed to
11 give expert opinions as to what happens to that water.
12 Not in his report.

13 THE COURT: Time out. I didn't get the
14 question because I was about to tell you you needed to
15 mute. So what was the question again, Mr. Frankel?

16 BY MR. FRANKEL:

17 Q Sure. What happened to the water that was
18 flowing in the stream? Or I can refine it to say, what
19 did you see happen to the water that was flowing in the
20 stream? I'm not asking for an expert opinion. I'm
21 asking for what he saw, his factual observation.

22 MR. WYNHOFF: On that particular day. What
23 happened to the water on that particular day. Thank you,
24 Mr. Frankel.

25 That resolves my objection, Your Honor.

1 THE COURT: Okay. Go ahead and answer.

2 THE WITNESS: At that level of flow on that
3 day in Ho`olawa lii lii stream, all of the water is
4 flowing into the Waialua Ditch.

5 BY MR. FRANKEL:

6 Q Thank you. Please take a look at Exhibit 51.

7 Do you recognize Exhibit 51?

8 A I do.

9 Q What is it?

10 A It's a photo -- stream level photo of the
11 steel grate system on Ho`olawa lii lii stream going into
12 the Waialua Ditch.

13 Q Is that a true and accurate depiction of that
14 stream in February?

15 A It is.

16 MR. FRANKEL: Your Honor, Sierra Club offers
17 Exhibit 51 into evidence.

18 THE COURT: All right. Mr. Wynhoff, any
19 objection? Sorry. I didn't hear you. There you go.

20 MR. WYNHOFF: Okay. All right. No objection,
21 Your Honor.

22 THE COURT: Thank you. Mr. Schulmeister?

23 MR. SCHULMEISTER: No objection.

24 THE COURT: Mr. Rowe?

25 MR. ROWE: No objection, Your Honor.

1 THE COURT: Exhibit 51 is received, no
2 objection.

3 (Exhibit No. 51 was received in evidence.)

4 BY MR. FRANKEL:

5 Q Okay. Please take a look at Exhibit 52. Do
6 you recognize it?

7 A I do.

8 Q What is it?

9 A This is a photo of that same ditch diversion
10 on Ho`olawa lii lii going into the Waialua Ditch. It's
11 taken about 100 feet below the diversion site.

12 Q And is it a true and accurate depiction of the
13 stream in February?

14 A It is.

15 MR. FRANKEL: Your Honor, Sierra Club offers
16 Exhibit 52 into evidence.

17 THE COURT: Mr. Wynhoff?

18 MR. WYNHOFF: No objection.

19 THE COURT: Mr. Schulmeister?

20 MR. SCHULMEISTER: No objection.

21 THE COURT: Mr. Rowe?

22 MR. ROWE: No objection, Your Honor.

23 THE COURT: All right. Exhibit 52 is
24 received, no objection.

25 (Exhibit No. 52 was received in evidence.)

1 BY MR. FRANKEL:

2 Q And Mr. Kido, did you see any water flowing
3 below this diversion structure?

4 A I did not.

5 Q Okay.

6 MR. FRANKEL: Your Honor, now we'd like to --
7 let's see -- I'd like you to take a look at Exhibit 53.

8 BY MR. FRANKEL:

9 Q You recognize it?

10 A I do.

11 Q What is it?

12 A This is a photo a little farther downstream of
13 the -- this is Ho`olawa lii lii Stream, a little further
14 downstream of the previous photograph. That's Megan
15 Powers in the photograph. She's a community resident
16 that actually lives on Ho`olawa Stream.

17 THE COURT: About how far past the grate are
18 you when this picture was taken?

19 THE WITNESS: I guess it's about 100 meters.

20 THE COURT: Thank you.

21 MR. FRANKEL: Your Honor, we'd like to offer
22 Exhibit 53 into evidence.

23 MR. WYNHOFF: No objection.

24 THE COURT: Thank you.

25 MR. SCHULMEISTER: No objection.

1 THE COURT: Thank you.

2 MR. ROWE: No objection, Your Honor.

3 THE COURT: Okay. Exhibit 53 is received, no
4 objection.

5 (Exhibit No. 53 was received in evidence.)

6 BY MR. FRANKEL:

7 Q Mr. Kido, did you see dry streambeds and pools
8 in other streams you visited in February?

9 A I did.

10 Q All right. Let's take a look at Exhibit 54.
11 Do you recognize Exhibit 54?

12 A I do.

13 Q What is it?

14 A This is Ho`olawa nui Stream and the steel
15 grate system at the Waialua -- going into the Waialua
16 Ditch.

17 Q Is it a true and accurate depiction of
18 Ho`olawa nui Stream that day?

19 A It is.

20 MR. FRANKEL: Your Honor, Sierra Club offers
21 Exhibit 54 into evidence.

22 MR. WYNHOFF: No objection from the State,
23 Your Honor.

24 MR. SCHULMEISTER: No objection from A&B.

25 MR. ROWE: No objection, Your Honor.

1 THE COURT: Exhibit 54 is received, no
2 objection.

3 (Exhibit No. 54 was received in evidence.)

4 MR. FRANKEL: Thank you, Your Honor.

5 BY MR. FRANKEL:

6 Q Mr. Kido, what kind of diversion structure is
7 this?

8 A This is the steel grate diversion structure
9 similar to the one on Ho`olawa lii lii just in a
10 different location.

11 Q Now, please take a look at Exhibit 55. Do you
12 recognize it?

13 A I do.

14 Q What is it?

15 A This is looking downstream from the -- that
16 previous picture. So this is probably a waterfall and,
17 you know, it's probably a 60-foot drop into that pool,
18 which is really stagnant. You can see it's all
19 discolored, probably pretty warm.

20 Q Is it a true and accurate depiction of
21 Ho`olawa nui Stream that day in February?

22 A It is.

23 MR. FRANKEL: Your Honor, I'd like to offer
24 Exhibit 55 into evidence.

25 MR. WYNHOFF: No objection from the State.

1 MR. SCHULMEISTER: No objection from A&B?

2 MR. ROWE: No objection, Your Honor.

3 THE COURT: Exhibit 55 is received, no
4 objection.

5 (Exhibit No. 55 was received in evidence.)

6 BY MR. FRANKEL:

7 Q And Mr. Kido, did you see any water flowing
8 downstream from this point at below that pool that day in
9 February?

10 A I did not.

11 Q Okay. Please take a look at Exhibit 67. Do
12 you recognize it?

13 A I do.

14 Q What is it?

15 A That's a waterfall on Wai -- Waiohue Stream.
16 It's dropping into a fairly large plunge pool.

17 Q Is it a true and accurate depiction of Waiohue
18 Stream that day in February?

19 A It is.

20 MR. FRANKEL: Your Honor, Sierra Club would
21 like to offer Exhibit 57 into evidence.

22 MR. WYNHOFF: No objection from the State,
23 Your Honor.

24 MR. SCHULMEISTER: Can we be clear we're
25 talking February of this year?

1 MR. FRANKEL: Thank you. Yes. Thank you,
2 Mr. Schulmeister.

3 MR. SCHULMEISTER: And do we have a date in
4 February?

5 MR. FRANKEL: Well --

6 THE COURT: It was during the time period
7 Mr. Kido was there, I assume?

8 THE WITNESS: It is.

9 THE COURT: Right. Is that close enough,
10 Mr. Schulmeister?

11 MR. SCHULMEISTER: Yes. No objection.

12 THE COURT: All right.

13 Mr. Rowe?

14 MR. ROWE: No objection, Your Honor.

15 THE COURT: Exhibit 67 is received, no
16 objection.

17 (Exhibit No. 67 was received in evidence.)

18 BY MR. FRANKEL:

19 Q Mr. Kido, where was the diversion structure
20 you observed in Waiohue Stream in relationship to this
21 photograph?

22 A This is a fairly large deep, pool. The
23 diversion structure was a sluice gate mechanical system
24 on pretty much the opposite side of this pool.

25 THE COURT: Sorry. Time out.

1 THE COURT REPORTER: Sluice gate mechanical
2 system?

3 THE COURT: Sluice gate.

4 THE COURT REPORTER: Okay. Thank you.

5 THE COURT: Go ahead.

6 BY MR. FRANKEL:

7 Q Mr. Kido, did your visit to the East Maui
8 streams in February -- February of this year alter your
9 conclusion regarding the negative impact that stream
10 diversions can have on `o`opu and `opae?

11 A No, it didn't.

12 MR. FRANKEL: Thank you. No further
13 questions, Your Honor.

14 THE COURT: All right. Thank you. Let me
15 take a -- let me check something here.

16 All right. We can keep going. Who's going
17 first?

18 MR. SCHULMEISTER: I believe that would be me.

19 THE COURT: Thank you, Mr. Schulmeister. Go
20 ahead.

21

22 CROSS-EXAMINATION

23 BY MR. SCHULMEISTER:

24 Q Mr. Kido, during part of your direct
25 examination, you were asked about stream assessment

1 protocol that you were involved in developing. Do you
2 recall that?

3 A Yes.

4 Q Did you do any application on that protocol to
5 any of the streams that you visited in February?

6 A No, I did not.

7 Q Now, you mentioned your opinion about the --
8 basically the negative effects that stream diversions
9 have on habitat and the amphidromous species in streams
10 in Hawaii; is that right?

11 A That's correct.

12 Q And this is a -- a general sort of generic
13 impact of these types of diversions; is that right?

14 A It is.

15 Q Now, the species that you've talked about,
16 these are the `o`opu and the `opae; correct?

17 A Correct.

18 Q Any other species in terms of the impacts
19 you're giving an opinion on?

20 A Anything -- any native stream animal that is
21 in the system. So hihiwai is also. It's the native
22 snail. That's also amphidromous. So if that's in the
23 system, it could be impacted.

24 Q So `o`opu, `opae, and hihiwai?

25 A Yes.

1 Q Hiihawai is the fresh water opihi?

2 A It looks like an opihi, but it's actually a
3 different family.

4 Q It's a snail basically?

5 A It's a snail, yes.

6 Q All right. Now, any of those species
7 endangered species?

8 A The closest one would be *Lentipes concolor*,
9 *'o'opu 'alamo'o*, which was considered for this listing at
10 one time.

11 Q But it's not endangered?

12 A No.

13 Q It's not a listed endanger species; is that
14 correct?

15 A That's correct.

16 Q None of these are; correct?

17 A Correct.

18 Q And the impact on habitat that you're talking
19 about from diversions, that would be a localized impact
20 in relation to each diversion; correct?

21 THE COURT: I'm sorry. I don't understand the
22 question. Please rephrase.

23 BY MR. SCHULMEISTER:

24 Q The impact you're talking about on the habitat
25 below diversion, that's a localized impact in relation to

1 the diversion; is that right?

2 A Not exactly. It is localized for sure
3 specifically below the diversion, but because flow is
4 affected, we start to see nutrient -- and sediment which
5 is also impacted further downstream. But depending on
6 where that diversion is, it can have quite a long
7 longitudinal effect down the system.

8 Q Okay. Now, I mean, do you remember that I
9 took your deposition in this case?

10 A Yes.

11 Q And a court reporter was there?

12 A Yes.

13 Q And it was February 28th, 2020? Does that
14 seem right to you?

15 A That seems right.

16 Q And you remember me asking you, And so it
17 would be localized impacts in relation with diversions?
18 You remember me asking that?

19 A I think I do.

20 Q And you remember what your answer was then?

21 A I do not.

22 Q Okay. Do you have your deposition available
23 to consult on this? And I'm looking at page 12, line 15
24 through line 17.

25 A Is it on your list, David?

1 THE COURT: Deposition transcripts are in a
2 separate folder in Dropbox. They're not with the
3 exhibits.

4 THE WITNESS: Oh.

5 THE COURT: But it's uploaded. It's there.
6 I'm looking at it now.

7 MR. FRANKEL: You see the folder that says
8 Deposition Transcripts?

9 THE WITNESS: Hold on. I gotta take this one
10 out. All right. I think I lost it.

11 MR. SCHULMEISTER: Your Honor, would this be a
12 good time to take a break? I have a few more questions.
13 They're all going to potentially involve the deposition.
14 We've been going a while. Maybe he can find the
15 deposition and we can resume.

16 THE COURT: We'll take five minutes, ten
17 minutes.

18 THE WITNESS: Five minutes, yeah.

19 THE COURT: We'll go five minutes. All right.
20 We're in recess.

21 (A recess was taken.)

22 THE COURT: All right. We are back on record.
23 I see -- I think I see everybody. Yes, I do.

24 All right. Mr. Kido, you have your deposition
25 transcript now.

1 Mr. Schulmeister, go ahead.

2 THE WITNESS: I do. I'm looking at it.

3 BY MR. SCHULMEISTER:

4 Q Lines 14 through 17. Do you see that?

5 A I do. I see it.

6 Q So I asked you at that time whether it would
7 be localized impacts in relation with diversions. Your
8 answer was yes; is that correct?

9 A I did answer that way, yes.

10 Q Now, have you reviewed the decision of the
11 Water Commission from 2019, which set interim instream
12 flow standards for a number of these Maui streams?

13 A Not recently.

14 Q But you have reviewed it; correct?

15 A I have looked at it, yes.

16 Q Okay. And do you remember when you read the
17 Water Commission's decision in connection with East Maui
18 streams that there was some discussion about looking at
19 things regionally, in other words, in terms of the health
20 of the streams regionally as opposed to just individually
21 stream by stream?

22 A Yes.

23 Q And so if you're trying to manage a system
24 that crosses multiple streams, it's appropriate to look
25 at it regionally in terms of streams that -- I mean,

1 having streams that are doing well or healthy that can
2 compensate in effect for streams that maybe are not --
3 that are somewhat more degraded because they have more
4 diversions on them. Is that fair?

5 MR. FRANKEL: Objection, Your Honor.

6 THE COURT: Yeah. I don't understand that
7 question either, Mr. Schulmeister. That's got like two
8 or three different parts to it. If you can break it down
9 please. Thank you.

10 BY MR. SCHULMEISTER:

11 Q If you're trying to manage a system, it's
12 appropriate to look at it regionally in terms of streams
13 that are doing well in order to compensate for streams
14 that maybe are somewhat more degraded because they have
15 more diversions? Do you agree with that?

16 A Well, it is appropriate to look at it
17 regionally. But I'm just a co-author on a paper
18 published. It's on my CV. Kawika Winter is the lead
19 author, which we look at the local system the Hawaiians
20 use, which thinks about that idea of regional systems.
21 To the Hawaiians, every stream was important because each
22 stream would produce larvae that are in plankton that's
23 sent out into the plankton and then provide recoups to
24 any localized stream. So every stream is important in
25 the local system to the native Hawaiians.

1 Q All right. So again, you remember me taking
2 your deposition?

3 A Yes.

4 Q And do you remember me asking you if you're
5 trying to manage a system, it's appropriate to look at it
6 regionally in terms of streams that -- you know, having
7 streams that are doing well or healthy that, you know, to
8 compensate in effect for streams that maybe are somewhat
9 more degraded because they have more diversions on them?

10 Do you remember me asking you that?

11 A I do.

12 Q And do you remember what your response was
13 then?

14 A Do you know what page that's on?

15 Q Yes. On page 39 at line 12.

16 A Yes. So I did say I believe that's true.

17 Q With regard to any of the areas that you
18 looked at in February where you saw dry areas below
19 diversions, if that condition continues for another six
20 months, is that going to cause any permanent harm to the
21 ability to restore that habitat in the future?

22 A So you're saying that in six months, it would
23 restore the stream?

24 Q I said, If the current condition continues for
25 another six months, is that going to cause any permanent

1 harm to the ability to restore that habitat in the
2 future?

3 A No. If the -- well, the stream is already
4 harmed; right? So it is -- in effect has really no
5 biological integrity, so it probably won't get any worse
6 than it is now. But in six months, if flows return, we
7 should see some recovery that stream.

8 Q And if it continued for another ten months,
9 would that still be the same?

10 A For that ten months, biological integrity is
11 low.

12 Q But if flow were restored, it would still
13 recover; right?

14 A Eventually, yes.

15 Q Okay. Now, I just wanted to briefly go back
16 to the question of entrainment, and which we -- which was
17 covered on your direct examination by Mr. Frankel. And
18 this is the -- the fact that larvae is coming downstream
19 from the animals that have spawned further upstream of a
20 diversion, because they're flowing down the water, if the
21 water is diverted into a ditch, then the larvae will flow
22 with the water into the ditch instead of going down to
23 the ocean. Is that a fair description of what you're
24 talking about in terms of entrainment of larvae?

25 A Yes.

1 Q Okay. I mean, wouldn't that be the case with
2 any water that's diverted from a stream? If larvae is in
3 the water, then the larvae is going to go where the water
4 goes; right?

5 A True.

6 Q Right. Okay. So it's just kind of a
7 necessary fact that if you're going to divert water for
8 some offstream purpose from a stream where there's
9 animals in it, there's going to be some larvae that gets
10 diverted along with the water; correct?

11 A That's correct. But there are ways to
12 mitigate that.

13 Q Okay. The other -- now, you mentioned that
14 earlier in your career, you had looked at Palauhulu
15 Stream in East Maui and also Hanawi Stream in East Maui;
16 is that right?

17 A That's correct.

18 Q And you're looking to see whether they would
19 be -- what you call reference -- you're considering them
20 as reference streams when you're developing your model?

21 A Yeah. Basically we asked Skippy Hau which
22 were the best streams that potentially we should look at.
23 And he narrowed it down to East Maui, those three sites.
24 And we applied our methodology there.

25 Q Okay. So -- and was this when sugar was still

1 being cultivated?

2 A This is in 1998, 1997, or something like that.

3 So I'm not sure when sugar went out.

4 Q Okay. Well --

5 A The ditches were there though.

6 Q I think the record will show that 2016 was the
7 last HC&S sugar crop in East Maui. So if it was -- so
8 you're talking about decades before the cultivation of
9 sugar was stopped, if that's correct, if 2016 was the
10 last harvest.

11 A Uh-huh.

12 Q So this is when the diversions were operating
13 at a much higher rate of removing water from streams;
14 correct?

15 A Probably, yeah.

16 Q And Palauhulu and Hanawi are streams within
17 the East Maui Ditch System; correct?

18 A They are.

19 Q So even decades before the cessation of sugar,
20 you know, those streams were referred to you and you
21 looked at those streams as examples of extremely healthy
22 streams that you could use as reference streams for your
23 model; is that correct?

24 A That's correct.

25 Q Okay.

1 A I'd say one thing about that though.
2 Palauhulu Stream, according to when we did our
3 assessment, it came out to like a C grade, like 60
4 percent or something like that. So it just wouldn't
5 qualify as a reference stream for us 'cause it should be
6 100 percent.

7 Hanawi -- Hanawi, on the other hand, was at
8 the ocean. So it is with -- below any diversion. And
9 one of the things that was impressive about Hanawi was
10 the level of equipment into that stream. What happens to
11 them above we never looked at, but there was a lot of
12 equipment going into Hanawi Stream at the mouth.

13 Q Very healthy stream even during the heyday of
14 sugar; correct?

15 A Yeah. But it's also fed by a spring
16 currently. There's big springs that comes in and puts
17 water into that system.

18 Q And a lot of these streams have springs.
19 Palauhulu has springs too; right?

20 A Yeah. But -- probably. But there's one -- in
21 Hanawi there's one called Big Springs people talk about.
22 And one thing I noticed about that stream is that it was
23 really cold. So you know, we thought there must be some
24 really strong spring input because of -- it's probably
25 one of the coldest streams, which wasn't the case in

1 Palauhulu. So I don't think the stream in Palauhulu had
2 as big of an impact as Hanawi.

3 Q All right. Thank you, Mr. Kido. I don't have
4 any further questions.

5 THE COURT: Thank you. Mr. Wynhoff or
6 Ms. Goldman, who's next?

7 MR. WYNHOFF: I think Mr. Rowe is next, Your
8 Honor.

9 THE COURT: All right. Mr. Rowe, go ahead.

10 MR. ROWE: Your Honor, I don't have any
11 questions for this witness.

12 MR. WYNHOFF: Your Honor, I'll take -- I'll
13 ask some questions on behalf of the State.

14 THE COURT: All right.

15

16 CROSS-EXAMINATION

17 BY MR. WYNHOFF:

18 Q Mr. Kido, you talked about the animals -- the
19 larvae go downstream and the juveniles come back
20 upstream?

21 A Yes.

22 Q What kind of a life cycle is that called?

23 A It's called amphidromy.

24 Q Can you spell that please?

25 A A-m-p-h-i-d-r-o-m-y.

1 Q Now, with respect to the animals going
2 downstream in the larvae stage, it's true, is it not,
3 that they tend to go down in times of high water flow?

4 A It depends. So depends what island, what
5 stream. The -- the way amphidromy works in Hawaiian
6 species is that in the fall, when the rains start, adults
7 migrate within the system. They come downstream.

8 So for `o`opu nakea, for example, they'll go
9 really close to the ocean. And they spawn right around
10 the ocean.

11 For the other species, they're probably
12 spawning up higher. And when they -- when they hatch --
13 so the way it works is the females come down, lay their
14 eggs in the substrate, the males come down and fertilize,
15 within 24 hours the eggs hatch, the larvae swim up into
16 the water constantly, getting up into the fast flow, and
17 then they are swept downstream.

18 Q It's part of their lifestyle, is it not, that
19 they tend to spawn and move in terms of high water rather
20 than low? You would agree with that, wouldn't you?

21 A Yeah. It starts in -- the rains can be seen
22 in July.

23 Q Thank you, sir. Thank you. That was my
24 question. So with respect to the --

25 MR. FRANKEL: Your Honor, you know, I think

1 counsel shouldn't be interrupting the witness.

2 THE COURT: Hold on, hold on, everybody. Hold
3 on. Hold on, everybody. There's no jury here.

4 Mr. Wynhoff, you did kind of step on what he
5 was trying to say. But I think he had finished answering
6 your question, so I understand why you did that.

7 Mr. Frankel, you can certainly revisit it with
8 Mr. Kido on redirect. So let's move forward.

9 Go ahead, Mr. Wynhoff.

10 MR. WYNHOFF: Thank you, Your Honor.

11 BY MR. WYNHOFF:

12 Q Mr. Kido, in terms of the animals moving
13 upstream, are you familiar with a term called overhanging
14 lip?

15 A What -- as applied to a diversion?

16 Q I mean, if you don't know what that term,
17 that's fine. So I'll just move on to my next question.
18 Do you --

19 THE COURT: Hold on. Overhanging what? I
20 couldn't hear you?

21 MR. WYNHOFF: Lip, l-i-p.

22 THE COURT: Thank you. Go ahead.

23 BY MR. WYNHOFF:

24 Q What about an undercut waterfall? Do you know
25 what an undercut waterfall is, Mr. Kido?

1 A Yeah. That's a typical hydrology terms.

2 Q And an undercut waterfall means that there's
3 basically a ledge that projects out from the top of the
4 waterfall; is that correct, sir?

5 A That's correct.

6 Q And an undercut waterfall is also a natural
7 barrier to the juveniles going back upstream, is it not,
8 sir? Do you agree with that?

9 A I do not agree with that. Animals can pass an
10 undercut waterfall. There are ways they get around that.

11 Q So you don't agree with that. In your view,
12 an undercut waterfall is not a barrier to upstream
13 migration?

14 A No.

15 Q That's what I heard you say?

16 A Yes.

17 Q On the days -- looking at your photographs,
18 sir, the days that you went out there, it looks like you
19 were blessed with some pretty sunny weather. Am I right
20 about that?

21 A That's correct.

22 MR. WYNHOFF: Thank you. No further
23 questions, Your Honor.

24 THE COURT: Redirect, Mr. Frankel?

25 MR. FRANKEL: Yes, Your Honor.

REDIRECT EXAMINATION

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

BY MR. FRANKEL:

Q Mr. Wynhoff was asking you about the reproductive period of time for `o`opu. Can you let us know, do all the `o`opu species spawn -- I don't know if that's the right word, but do they spawn at the same time?

A As far as I we know, they do not. And I don't think anybody's really looked closely at that. But the data that I collected, it seems like they're staggered. So `o`opu nakea appears to come down first followed by nopili, and `alamo`o kind of does their own thing up in the higher watershed. But they're not all coming out into the ocean at the same time.

Q Okay. And you were asked about whether an impact from one diversion is localized. Can you explain how it would be localized but also could have a more -- a bigger impact than a localized impact if `o`opu and `opae are entrained, for example?

A Well, if we take -- I don't know if I can talk about the stream since we -- you know, the thing about --

MR. WYNHOFF: Objection, Your Honor. His testimony is at best generalized and I do object to him talking about particular streams.

THE WITNESS: Okay.

1 THE COURT: I can't remember if particular
2 streams were a part of his, you know, background and
3 experience and authorship and so forth. So if they were,
4 he can certainly comment on that, but we need to stay
5 away from any opinions involving the particular streams
6 that you visited in February of this year.

7 THE WITNESS: Right. Sure. Can I answer
8 David's question?

9 So diversions have localized impacts for sure.
10 So you would see dry streambeds below them. If you have
11 multiple diversions on one stream continuing, you'll have
12 localized dry streambeds on each one of these segments.
13 Not only does it prevent animals from passing upstream,
14 so juveniles coming up from the ocean to repopulate the
15 adult population cannot make it up there. Anything
16 drifting downstream, larvae drifting, will be entrained
17 in these systems. So they do have cumulative localized
18 impacts maybe, I should say.

19 And the longer period, as I mentioned, when
20 you get into the effect of entrainment processing, there
21 are a lot of diversions, a lot of dry stream sections,
22 and flow is disrupted for a really long period of time,
23 you'll start to see accumulations of organic matter and
24 sediment in the system to the point where the beds are --
25 during floods will take all this stuff down and drop it

1 somewhere. And you'll see segments of stream channels
2 that are just buried in mud and organic matter.

3 THE COURT: What is it about mud that's bad
4 compared to, you know, boulders on the bottom of the
5 stream?

6 THE WITNESS: The stream that I always want to
7 point out is if you look at Kalihi Stream at Nimitz
8 Highway. Where it crosses the highway, it is just pure
9 mud. In the case of `o`opu nakea, for example, they are
10 migrating from upper watersheds down closer to ocean, and
11 they need clean substrate.

12 They need clean rocks without sediment on top
13 of them. And in order for the animals to spawn, they
14 need to have cobbles inside these clean substrates in
15 order to reproduce. So in extreme cases where you
16 cannot -- like where you cannot find cobble in the
17 streambed, there is no possibility of reproduction
18 because the animals just can't find any place to spawn.

19 BY MR. FRANKEL:

20 Q Mr. Kido, can you -- this might be
21 challenging, but can you explain to us layperson
22 lawyers -- maybe paint a picture. When you say clean
23 substrate, what does that look like?

24 A It is -- if you can imagine a streambed just
25 with natural cobble and rock. And you would see each

1 rock -- this is one of the things we looked at in our
2 habitat assessment. How much sediment is actually
3 surrounding these rocks with cobbles and boulder
4 substrate that's on the streambed.

5 So in a really good stream, a pristine stream,
6 there pretty much is very little sediment, if any. So
7 the boulders are all clean. The animals come down. And
8 that's how `o`opu nopili, for example, scrapes the algae
9 off the rock. It climbs this substrate that has
10 bioplankton algae growing on it and it climbs up it and
11 scrapes it with its mouth.

12 So if that substrate is buried in sediment, of
13 course there's no food for animals. There's no place for
14 animals to spawn because they need that really clean
15 substrate. And it's all affected by flow coming down the
16 system.

17 Q So when you say a pristine stream and you're
18 describing that clean substrate, are you saying -- how
19 much dirt would one see in the streambed of a nice,
20 clean, pristine stream?

21 A Probably less than 5 percent. If you had an
22 aerial measurement of it and did an assessment of how
23 much is covered by sediment, probably less than 5
24 percent.

25 Q Okay. Now, I want to go back to the localized

1 impact of diversion structures. If diversion structures
2 reduce the population of `o`opu and `opae through
3 entrainment or what have you, temperature, whatever the
4 factor is, what kind of effect does that have on the
5 overall population of these organisms in the larger
6 region?

7 A Again, I mentioned the moku system the
8 Hawaiians used. So these regional management system
9 where every stream was important. If you start to
10 lower -- every stream is important because it produces
11 larvae that are going down and are potential recruits
12 coming back into the stream. So they go out. They are
13 part of the marine plankton. They are living out in the
14 ocean. At some point in time they detect fresh water,
15 and they come back into the system.

16 If you have 13 streams and one of 'em or two
17 of 'em, three of 'em are not producing larvae, then you
18 have a lot smaller population of larvae in the plankton
19 that can repopulate these streams.

20 Q And when you talk about potential recruits,
21 obviously since some of the recruits don't make it back
22 into the stream, they may be food for some other organism
23 or creature that's in the ocean; right?

24 A Well, yeah. Plankton -- they're part of the
25 marine plankton, right, so they are food for other

1 organisms. So that is another long-term effect of it,
2 that you get less food in the ocean. What we're finding
3 is they really impact the stream, particularly in a
4 region or area where you see three or four streams, that
5 would be bad.

6 MR. WYNHOFF: Objection, Your Honor.
7 Objection. This is not in his original report, and it's
8 outside the scope of any of the -- it's outside the scope
9 of the direct and it's outside the scope of the redirect,
10 nobody asked him about other creatures in the ocean.

11 THE COURT: Okay. Here's how we're going to
12 do it going forward, Mr. Wynhoff. Don't interrupt the
13 witness. Okay? If they give an answer that's beyond the
14 scope or beyond whatever, make a motion to strike after
15 they finish their answer, but do not interrupt them. All
16 right?

17 MR. WYNHOFF: Okay, Your Honor. Thank you.
18 Yes, Your Honor. Thank you.

19 THE COURT: All right. So Mr. Frankel, what's
20 your response to Mr. Wynhoff's objection?

21 MR. FRANKEL: I'm -- the question is a direct
22 response to Mr. Schulmeister's question about the
23 localized impact. And so Mr. Kido's describing the
24 impact on a broader scale than a localized impact.

25 THE COURT: Okay. Can you scroll up so I can

1 see Mr. Kido's answer before that objection came? Okay.
2 Right there.

3 I should have explained to you before,
4 counsel. Every once in a while you'll see me looking off
5 to my left. It's not because I'm not paying attention.
6 It's because I'm looking at the realtime text feed from
7 my court reporter.

8 I'm going to allow it. But I get
9 Mr. Wynhoff's objection. You're very close to straying
10 into new material here. Go ahead.

11 MR. FRANKEL: Thank you, Your Honor.

12 BY MR. FRANKEL:

13 Q So you were asked by Mr. Schulmeister about
14 whether a stream that's deprived of water for six months
15 or ten months, whether that damage is permanent. And I
16 guess what I want to know is regardless of whether the
17 damage is permanent, is there damage during those
18 six-month or ten-month time frame that the stream is
19 deprived of water?

20 A There is damage to that system. I mean, you
21 don't have any native animals in it. There's nobody --
22 there's no animals reproducing. You don't have larvae
23 going out into the ocean. So all that time that it is
24 damaged, the regional system suffers.

25 MR. FRANKEL: Thank you, Your Honor. No

1 further questions.

2 THE COURT: All right. Mr. Schulmeister, back
3 to you. I'd like to try to finish. I'd like to try to
4 finish Mr. Kido before we take our lunch break. But if
5 we can't, we can't.

6 MR. SCHULMEISTER: I have no further
7 questions.

8 THE COURT: All right. I think Mr. Rowe is
9 next. Yes.

10 MR. ROWE: I have no further questions, Your
11 Honor.

12 THE COURT: Thank you. Mr. Wynhoff.

13 MR. WYNHOFF: No further questions, Your
14 Honor. Thank you.

15 THE COURT: All right. Mr. Kido, your
16 testimony is finished. Thank you, sir.

17 THE WITNESS: Thank you.

18 THE COURT: All right. We'll take our lunch
19 break now. Let me check with --

20 MR. FRANKEL: Hold on, Your Honor. I think
21 I'm afraid to say I think we're going to be done for the
22 day because we only have Mr. Kido. So it's more than a
23 lunch break. It's a dinner break as well.

24 THE COURT: Okay.

25 MR. WYNHOFF: I think we should do more

1 housekeeping, Your Honor, if we might.

2 THE COURT: Hold on one sec. We'll get back
3 in a minute. I just gotta check on something here.
4 Okay?

5 MR. WYNHOFF: Thank you, Your Honor.

6 THE COURT: Whatever else we're going to talk
7 about, does it need to be on record?

8 MR. WYNHOFF: I don't think so, Your Honor.

9 THE COURT: Anyone else? I'm not seeing
10 anyone say yes. I'm going to excuse our court reporter
11 so she doesn't have to sit here while we talk about what
12 we're going to talk about.

13 (End of proceedings.)

14 -o0o-

15

16

17

18

19

20

21

22

23

24

25

