

CADES SCHUTTE LLP

DAVID SCHULMEISTER 2781  
MICHI MOMOSE 9777  
TRISHA H.S.T. AKAGI 10186  
1000 Bishop Street, 12th Floor  
Honolulu, HI 96813-4216  
Telephone: (808) 521-9200  
Facsimile: (808) 521-9210  
Email: dschulmeister@cades.com  
mmomose@cades.com  
takagi@cades.com

Attorneys for Applicants  
ALEXANDER & BALDWIN, INC.  
and EAST MAUI IRRIGATION COMPANY, LLC

BOARD OF LAND AND NATURAL RESOURCES

STATE OF HAWAI'I

In the Matter of a Contested Case  
Regarding the Continuation of Revocable  
Permits (RPs) for Tax Map Key Nos.  
(2) 1-1-001 :044 & 050; (2) 2-9-014:001,  
005, 011, 012 & 017; (2) 1-1-002:002 (por.)  
and (2) 1-2-004:005 & 007 for Water Use on  
the Island of Maui to Alexander & Baldwin,  
Inc. (A&B) and East Maui Irrigation  
Company, LLC (EMI) for the remainder of  
the 2021 RPs, if applicable, and for their  
continuation through the end of 2022

DLNR File No. CCH-LD-21-01

**DESIGNATION OF WRITTEN DIRECT  
TESTIMONY OF DR. AYRON  
STRAUCH;**

**APPENDIX A**

**DESIGNATION OF WRITTEN DIRECT TESTIMONY  
OF DR. AYRON STRAUCH**

Pursuant to Minute Order No. 8 regarding Prehearing Conference Procedures, Applicants Alexander & Baldwin, Inc. (“**A&B**”) and East Maui Irrigation Company, LLC (“**EMI**”) hereby designate the testimony of Dr. Ayron Strauch presented in the trial in *Sierra Club v. Dept. of Land & Nat. Res.*; Civil No. 19-1-0019 JPC, in the Circuit Court of the First Circuit, State of Hawai‘i (the “**Trial**”) as the written direct testimony for Dr. Strauch. *See* Minute Order No. 8 at

p. 3 (“Testimony from the [Trial] may be used as written direct testimony for witnesses.”). A copy of the transcript from Dr. Strauch’s testimony at trial is attached hereto as Appendix A. Portions of the transcript containing lengthy discussions on evidentiary objections have been excised for convenience.

To satisfy the cross examination requirement, A&B/EMI will seek to have Dr. Strauch appear at the hearing in this contested case. A&B/EMI anticipates eliciting additional testimony from Dr. Strauch on the topics identified in A&B/EMI’s witness list, filed concurrently herewith. *See* Minute Order No. 8 at pp. 2-3 (“If witnesses are appearing by subpoena and direct written testimony is not available, a detailed description of the subject areas to which that witness is anticipated to testify will be required. The description of the anticipated testimony shall be provided in the listing of the witness on the party’s witness list.”).

DATED: Honolulu, Hawai‘i, November 12, 2021.

CADES SCHUTTE LLP



---

DAVID SCHULMEISTER

MICHI MOMOSE

TRISHA H.S.T. AKAGI

Attorneys for Applicants

ALEXANDER & BALDWIN, INC.

and EAST MAUI IRRIGATION COMPANY, LLC

IN THE CIRCUIT COURT OF THE FIRST CIRCUIT

STATE OF HAWAII

SIERRA CLUB,	)		)	Cv. No. 19-1-0019
	)		)	
	)	Plaintiff,	)	
	)		)	
	)	vs.	)	
	)		)	
BOARD OF LAND AND NATURAL	)		)	
RESOURCES, et al.,	)		)	
	)		)	
	)	Defendants.	)	
	)		)	

TRANSCRIPT OF PROCEEDINGS

Had before the HONORABLE JEFFREY P. CRABTREE, Judge presiding, on AUGUST 14, 2020, regarding the above-entitled matter; to wit, FURTHER JURY-WAIVED TRIAL.

APPEARANCES:

DAVID KIMO FRANKEL, ESQ.	For the Plaintiff
DAVID SCHULMEISTER, ESQ.	For Alexander &
TRISHA AKAGI, ESQ.	Baldwin
WILLIAM WYNHOFF	For State of Hawaii
MELISSA GOLDMAN	(DLNR/BLNR)
Deputies Attorney General	
CALEB ROWE	For County of Maui
Deputy Corporation Counsel	

REPORTED BY:  
Jamie S. Miyasato  
Official Court Reporter  
First Circuit Court  
State of Hawaii

I N D E X

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

WITNESSES

Page

JEFFREY PEARSON

Direct Examination by Mr. Rowe.....	7
Cross-Examination by Mr. Schulmeister.....	26
Cross-Examination by Mr. Wynhoff.....	38
Cross-Examination by Mr. Frankel.....	40

AYRON M. STRAUCH, Ph.D.

Direct Examination by Ms. Goldman.....	52
--	----

EXHIBITS

Page

Exhibit M-4.....	15
Exhibit M-5.....	17
Exhibit M-6.....	18

1 go.

2 MR. WYNHOFF: Your Honor, this is Bill Wynhoff  
3 off camera. I am very sorry, but we're having a little  
4 technical difficulty. And I think I can be back in a  
5 minute or so, if I might ask the Court's indulgence.

6 THE COURT: That's all right. We'll take a  
7 brief recess. We're off record.

8 (A recess was taken.)

9 THE COURT: We're back on record. All right.  
10 I see everybody I'm supposed to be able to see. So let's  
11 go ahead. I think your --

12 MS. GOLDMAN: Thank you, Your Honor.

13 THE COURT: Okay. Go ahead. No problem.

14 MS. GOLDMAN: Can we do a sound check please.  
15 Just make sure this is okay for the court reporter?

16 THE COURT: We just did one. It started off  
17 bad and then got better.

18 MS. GOLDMAN: Okay. What about that? Is that  
19 any better? Okay. Please stop me if -- okay.

20

21 AYRON M. STRAUCH, Ph.D.,

22 Called as a witness by the State,

23 having been first duly sworn,

24 was examined and testified as follows:

25

## 1 DIRECT EXAMINATION

2 BY MS. GOLDMAN:

3 Q Dr. Strauch, could you pronounce your last  
4 name?

5 A Strauch.

6 Q Dr. Strauch, could you do a sound check  
7 please?8 A This is me. Do you hear me loud and clear?  
9 Okay.

10 Q Okay. Thank you.

11 Has the witness been sworn in?

12 THE COURT: Yes.

13 BY MS. GOLDMAN:

14 Q Okay. Excuse me. All right.

15 So Ayrton or Dr. Strauch.

16 THE COURT: Wait a minute. Time out. Sorry.

17 We weren't unanimous, but now we are.

18 Sorry, Ms. Goldman. Go ahead.

19 MS. GOLDMAN: No problem.

20 BY MS. GOLDMAN:

21 Q Doctor, you know why you're here today; right?

22 A Yes.

23 Q And are you aware of this -- of this legal  
24 case currently pending?

25 A Yes. I testified in front of the Board in

1 2018, when the initial revocable permit was -- came up.

2 Q Okay. And let's see. What is your -- in what  
3 capacity did you testify before the Board?

4 A As a hydrologist with the Commission on Water  
5 Resource Management charged with protecting stream  
6 resources.

7 Q Okay. So let's see. Let's talk about what's  
8 a hydrologist. Could you tell us?

9 A Somebody who studies water.

10 Q Okay. And tell us about your educational  
11 background that allows you to do this.

12 A I have a Ph.D. from Tufts University. I took  
13 courses and studied Interdisciplinary Water Resource  
14 Management and Ecology and Hydrological Sciences. I took  
15 a postdoc, or as the University of Hawaii title states, a  
16 junior researcher position here in Hawaii studying  
17 climate change impacts to fresh water ecosystems. And  
18 that incorporated a lot of hydrological sciences as well  
19 as ecological sciences.

20 Q So tell me, what year did you graduate or did  
21 you earn your Ph.D.?

22 A 2010.

23 Q And the postdoc studies that you were doing,  
24 how long did that last for?

25 A Three and a half years.

1 Q So it ended in what year?

2 A 2014.

3 Q Okay. And what did you do after that?

4 A I took a position with the Commission on Water  
5 Resource Management.

6 Q And what is your title at the Commission on  
7 Water Resource Management? And for the record, I believe  
8 that we will be referring to it either as the Commission  
9 or as CWRM, which is C-W-R-M, the acronym for the name.

10 A Hydrologist.

11 Q Okay. And what are some of your roles and  
12 duties as a hydrologist with CWRM?

13 A I'm in the Instream Use Protection Section of  
14 the Stream Protection and Management Branch. My duties  
15 involve establishing instream flow stands and interim  
16 instream flow standards, monitoring stream resources, and  
17 collaborating across agencies to gather information to  
18 support decisions that the Commission makes.

19 Q Okay. And you mentioned IIFS. Could you  
20 please just very briefly remind everyone what that is.

21 A An IIFS or interim instream flow standard is  
22 the amount of water that must remain in the stream to  
23 protect instream values as defined by the Water Code,  
24 State Water Code HRS 174C.

25 Q And are you -- are you able to list what those



1 values are?

2 A So the value -- the instream values that we  
3 focus on include things like fresh water habitat,  
4 ecosystem services, recreational values.

5 THE COURT: Slow down.

6 THE WITNESS: Sorry.

7 THE COURT: That's all right. Try again.

8 THE WITNESS: I'll start over. Fresh water  
9 habitat, ecosystem services, recreational values,  
10 aesthetic values, traditional and customary practices,  
11 instream hydropower, navigation, and the conveyance of  
12 water.

13 BY MS. GOLDMAN:

14 Q Okay. And so how -- just connect the dots for  
15 us. In establishing or studying an IIFS, which is one of  
16 your job duties, how do these values -- how do these  
17 values come into your determination of what the IIFS  
18 should be?

19 MR. FRANKEL: Objection. Assumes facts not in  
20 evidence regarding determinations.

21 THE COURT: I mean, the question is how do  
22 these values come into your determination of what the  
23 IIFS should be.

24 MR. FRANKEL: Oh, should be. I'm sorry. I  
25 didn't hear the should be. That's okay.

1 THE COURT: You may answer.

2 THE WITNESS: We use information from a  
3 variety of resources, things like the DAR Atlas of  
4 Hawaiian Watersheds and Aquatic Resources, the Hawaii  
5 Stream Assessment, various USGS studies conducted on the  
6 hydrology and ecology of Hawaiian streams, private  
7 studies, other agency studies, EIS's, research from the  
8 university and other agencies. We try and gather the  
9 best information available to support the Commission's  
10 decision.

11 BY MS. GOLDMAN:

12 Q Okay. And let's just go back to this and  
13 discuss this more. But I'd like to talk about your  
14 familiarity with the East Maui area generally and  
15 specifically with the East Maui licensed area at issue in  
16 this case. When I say that, are you aware of the area  
17 that I'm talking about?

18 A I am.

19 Q Okay. Have you ever been there?

20 A Quite a lot, yes.

21 Q Okay. And have you ever been there for work?

22 A Yes.

23 Q Okay. What kind of work have you done on --  
24 in East Maui?

25 A So I've studied the hydrology and ecology of

1 most -- many of these streams that are of interest. The  
2 Commission -- prior to my position -- prior to me taking  
3 a position with the Commission, interim instream flow  
4 standards were established at five locations in East Maui  
5 in 2008 and then another six locations I believe in 2010.

6 The Commission worked with the U.S. Geological  
7 Survey to establish monitoring stations at that time.  
8 And it was my -- it has been my job to maintain these  
9 monitoring stations to monitor the hydrology of these  
10 streams to verify that the interim instream flow  
11 standards are being met.

12 In my capacity as a junior researcher at the  
13 University of Hawaii, I also studied the ecology of many  
14 of these streams. That includes snorkel surveys and  
15 seine net surveys of native biota classifying stream  
16 habitat as well as the background information studying  
17 all the literature that has been, you know, published  
18 about these streams.

19 Q And in your current role in the Instream  
20 Stream Protection Unit?

21 A Instream Protection Section.

22 Q Section. Thank you. In that capacity, how  
23 many hours of field work do you think you've done in East  
24 Maui in this licensed area?

25 A Rough estimate, probably in the range of 1,200

1 to 1,600 hours.

2 Q Okay. And that would be since 2014?

3 A Yeah.

4 Q So on average how many days a year do you  
5 spend on East Maui, except --

6 A About 20.

7 Q Okay. And when is the last time you were  
8 there?

9 A Last week.

10 Q Okay. And when you were there last week, what  
11 were you doing?

12 A I was measuring streamflow and doing diversion  
13 verification.

14 Q Okay. And although this might seem a tedious  
15 exercise, I believe it is important to lay foundation for  
16 the remainder of your testimony today. I'd like to ask  
17 you about which streams you've been to in East Maui. Go  
18 down a list and just ask you whether you've personally  
19 been there.

20 A Okay.

21 Q Okay. The first one is called Honopou Stream.  
22 That's H-o-n-o-p-o-u. That's Hydrologic Unit No. 6034.

23 A Yes.

24 Q Okay. When is the last time you went there?

25 A Mid-July.

1 Q Okay. How many times do you think you've been  
2 to Hanapou Stream?

3 A Probably -- for field work or for like  
4 official site visits that involve, you know, not me doing  
5 field work?

6 Q How about field work.

7 A Let's say 60 times for field work and another  
8 dozen times for non data collection related site visits.

9 Q Okay. And are you aware of what the -- was  
10 this stream once a subject of the contested case that  
11 resulted in the 2018 decision and order by CWRM?

12 A Yes.

13 Q And was there -- was it given a new IIFS from  
14 the decision?

15 A The Commission decided to fully restore the  
16 stream, and in that case the IIFS was not a quantifiable  
17 number. It was to be the natural flow --  
18 (indiscernible).

19 Q Okay. We'll return to this, what that means  
20 to fully restore a stream. But let's just slow down and  
21 just get an inventory of your personal experience at each  
22 of these places.

23 So the next stream is Hoolawa Stream. That's  
24 H-o-o-l-a-w-a. Hydrologic Unit No. 6035. Have you been  
25 there?

1 A Yes.

2 Q How many times?

3 A Maybe a dozen times.

4 Q Okay. Were these in your -- in your capacity  
5 for work or pleasure or --

6 A Work.

7 Q Okay. When you visited Hoolawa, did that also  
8 include its tributaries -- Hoolawa ili, that's Hoolawa  
9 with i-l-i or Hoolawa nui, n-u-i, tributaries?

10 A Yes.

11 Q And have you visited any diversions in person  
12 on that --

13 A Yes.

14 Q Okay. And was that stream -- what is the  
15 current IIFS for that stream?

16 A The status quo from the Hawaii Administrative  
17 Rules established in 1988.

18 Q And what is -- what is the status quo? Do  
19 you -- can you just explain that a little further for us.

20 A It's the amount of water that was flowing on  
21 the effective date in 1988.

22 Q Okay. Okay. Let's move on to Mokupapa  
23 Stream. That's M-o-k-u-p-a-p-a Stream. That's  
24 Hydrologic Unit No. 6035 also. Have you ever been there?

25 A No.

1 Q No? Okay. Have you ever looked at any data  
2 from there?

3 A Yes.

4 Q Okay. Have you ever seen a photograph of any  
5 of the diversions there?

6 A Yes.

7 Q We'll come back to -- we'll come back to that  
8 one. But okay. Let's move on to the next one. That's  
9 Waipio Stream. W-a-i-p-i-o. Hydrologic Unit No. 6036.

10 MR. SCHULMEISTER: Excuse me. Could I just  
11 ask some foundation laid about what the hydrologic unit  
12 numbers are in your questions? Because I don't think  
13 we've had testimony on that.

14 MS. GOLDMAN: Thank you for reminding us. At  
15 this point I just associate.

16 BY MS. GOLDMAN:

17 Q Could you explain that please, Ayron. What's  
18 the hydrologic unit number?

19 A So the Commission manages resources by  
20 hydrologic unit, and that is because the State of Hawaii  
21 has too many watersheds that are either too small to be  
22 managed individually or are a part of other watersheds.  
23 And so a hydrologic unit is somewhat similar to a  
24 watershed, but it often incorporates minor neighboring  
25 watersheds or intermittent or ephemeral streams of

1 neighboring watersheds.

2 So in this situation, the Hoolawa hydrologic  
3 unit includes the Mokupapa Stream. Because it's such a  
4 small stream, it was incorporated together with Hoolawa.

5 Q And so that's why they have the same  
6 hydrologic unit number?

7 A Yes.

8 Q And do these numbers go in order?

9 A Yeah.

10 Q What -- what kind of order is it or what's the  
11 order determined by?

12 A Topographic. Their relationship on the  
13 island.

14 Q Okay. And are these numbers recorded  
15 somewhere for your use and for the public's use?

16 A Yeah. There was a publication in I would say  
17 20 years ago, before my time at the Commission, that  
18 identified the hydrologic unit numbers.

19 Q Would it be helpful to view that document in  
20 order to refresh your recollection about its title and  
21 year?

22 A I mean, do you -- do you -- is the year of  
23 publication important to you?

24 THE COURT: I don't think I need that, but you  
25 can make your record if you wish.



1 MS. GOLDMAN: Okay. I was just going to bring  
2 the Court's attention to the State's proposed Exhibit  
3 S-6, which -- let's double-check in my notes, Your  
4 Honor -- which is not in evidence and which is the  
5 document in which CWRM maintains these hydrologic unit  
6 names and numbers.

7 THE COURT: Again, that's fine. I don't  
8 expect I'm going to be making findings of facts about  
9 hydrologic units. I think I'll be talking about streams.

10 MS. GOLDMAN: Okay. Thank you.

11 Please continue to ask if we need more  
12 foundational questions for these things. Thank you,  
13 Mr. Schulmeister.

14 BY MS. GOLDMAN:

15 Q Okay. So going back to the Waipio Stream,  
16 that was Hydro Unit 6036. Have you been there?

17 A Yes.

18 Q Okay. How many times?

19 A I'd say four.

20 Q Okay. And when do you think the last time you  
21 went there was?

22 A In July.

23 Q Okay. July of this year?

24 A Yes.

25 Q Like -- okay. And why did you go there?

1           A           To verify stream diversion and to assess the  
2           upper watersheds.

3           Q           Okay. Okay. And what is the current IIFS for  
4           that stream, for --

5           A           Status quo.

6           Q           Okay. And again, that means that that's an  
7           amount that was set by statute; right?

8           A           (Inaudible response.)

9           Q           All right. Moving on to the next stream,  
10          Hanehoi. That's H-a-n-e-h-o-i. Unit No. 6037. Have you  
11          ever been there?

12          A           Yes.

13          Q           How many times?

14          A           I'd say 60.

15          Q           Why so many?

16          A           So on streams where we have monitoring  
17          stations, I return there about every two to three months  
18          as practice. But also for diversion verification,  
19          various site visits.

20          Q           Okay. We'll go back. I'd like to talk to you  
21          in a little more detail about monitoring stations later,  
22          but we'll just continue with this list for now.

23                      What is the IIFS for that stream currently?  
24          Do you know?

25          A           Full restoration.

1 Q Okay. As determined by whom or when?

2 A The 2018 decision and order by the Commission.

3 Q Okay. Thank you. All right. Moving on to  
4 Hoalua Stream. That's H-o-a-l-u-a Stream, Unit No. 6038.  
5 Have you been there?

6 A Yeah.

7 Q How many times?

8 A I'd say 120.

9 Q Okay. Why so many times there?

10 A It's very easily accessed from the main road,  
11 Hana Highway.

12 Q And what is the current IIFS for that stream?

13 A Status quo.

14 Q Thank you. So the next stream, moving on to  
15 Hanawana Stream. H-a-n-a-w-a-n-a. Sometimes spelled  
16 slightly differently. But it's Unit No. 6039. Have you  
17 been there?

18 A No.

19 Q No. Okay. Are you familiar with any  
20 diversions there despite not having seen them in person?

21 A Yes.

22 Q How are you familiar?

23 A Through photos and registration files.

24 Q Okay. Photos and registration files. Okay.

25 A They had verification field work done before

1 me.

2 Q Okay. Okay. And what is the current IIFS for  
3 that stream?

4 A Status quo.

5 Q Okay. And so moving on to the next one,  
6 Kailua Stream. I think we can avoid spelling finally.

7 6040. Have you been there?

8 A Yes.

9 Q Okay. How many times?

10 A About 120.

11 Q Okay. And why so many there?

12 A Again, it's easily accessed. Yeah.

13 Q Okay. And are you aware of the current IIFS  
14 for Kailua Stream? What is that?

15 A Status quo.

16 Q Okay. Moving on to Nailiilihaele,  
17 N-a-i-l-i-i-l-i-h-a-e-l-e Stream. Unit No. 6041. Have  
18 you been there?

19 A Yes.

20 Q How many times?

21 A 120. I'll just go with nice round figures.

22 Q Okay. And is that also because it's easily  
23 accessible?

24 A Easily accessible, yeah. It's right next to  
25 Kailua. So I tend to visit both, one right after the

1 other.

2 Q I see. Okay. And what is the current IIFS  
3 for Nailiilihaele?

4 A Status quo.

5 Q Okay. And what about Puehu Stream? That's  
6 P-u-e-h-u. Unit No. 6042? Have you been there?

7 A Only from the highway. I spent -- well, no, I  
8 take that back. Probably been four times in total if you  
9 don't include from the highway.

10 Q What do you mean don't include from the  
11 highway?

12 A Well, the stream is visible from the highway,  
13 but there is no diversion from the highway. It's  
14 downslope from the highway a ways and it's not easily  
15 accessible. So I drive past it quite regularly, but I  
16 don't stop. So not from the highway, but at other times  
17 I've been to other locations other than the highway on  
18 the stream.

19 Q I see. Thank you for clarifying.

20 Okay. And what is the current IIFS for Puehu?

21 A Status quo.

22 Q The next one `O`opuola. That's O-o-p-u-o-l-a.  
23 And I'll just note that I'm not using any of the  
24 diacritical marks right now. And that's Unit No. 6043.  
25 Have you been there?

1 A Yes.

2 Q How many times?

3 A 120.

4 Q Okay. And is that because -- how many times  
5 for work?

6 A 120. Well, 119. I don't know actually.

7 Let's call it 120.

8 Q It's approximately 120?

9 A Yeah, approximately.

10 Q Okay. And what is the current IIFS for  
11 `O`opuola?

12 A Status quo.

13 Q The next stream is Kaaiea. K-a-a-i-e-a. No.  
14 6044. Have you been there?

15 A Yes.

16 Q How many times?

17 A Four times.

18 Q Okay. And have you been there for work  
19 purposes?

20 A Yes.

21 Q When was the last time?

22 A In July.

23 Q Of this year?

24 A Yeah. Actually I went there also in August.

25 Sorry. August 3rd.

1 Q Sorry. You were in East Maui in August of  
2 this year? Like --

3 A Last -- yeah.

4 Q What were -- okay. And what day was that or  
5 when was that?

6 A August 3rd.

7 Q Okay. Was that for work purposes?

8 A Yes.

9 Q Okay. And you visited Kaaiea and others, I  
10 assume?

11 A Yes.

12 Q Okay. All right. And what is the current  
13 IIFS for Kaaiea?

14 A Status quo.

15 Q Punaluu is the next. P-u-n-a-l-u-u Stream.  
16 No. 6045. Have you ever been there?

17 A Yes.

18 Q And how many times?

19 A Once.

20 Q Okay. And was that for work or pleasure?

21 A Work.

22 Q When was that approximately?

23 A The 3rd of August.

24 Q Okay. This year; correct?

25 A Yeah.

1 Q Okay. How about Kolea? I'm sorry. Sticking  
2 with Punaluu for a moment. Prior to your first visit  
3 there in August, were you otherwise familiar with this  
4 stream already?

5 A Yes.

6 Q How so?

7 A Through previous site visit reports and  
8 documentation.

9 Q Okay. And have you ever analyzed any data  
10 regarding this stream in the course of your job?

11 A Yes.

12 Q Okay. And have you ever seen a representation  
13 of any of the diversion structures --

14 A Yes.

15 Q -- on this stream? Okay. Okay.

16 So moving to Kolea. That's K-o-l-e-a Stream.

17 Oh, I forgot to ask you what the IIFS was for  
18 Punaluu. I apologize.

19 A Status quo.

20 Q Thank you. Okay. Kolea. K-o-l-e-a. 6046.  
21 Have you been there?

22 MR. FRANKEL: Objection. Vague.

23 THE COURT: I'll allow it.

24 MR. FRANKEL: I just want to make sure we have  
25 clarity on the streams. I'm going to say vague.



1 THE COURT: It's Kolea Stream. What more are  
2 you asking for?

3 MR. FRANKEL: Well, there's two Kolea Streams,  
4 Your Honor, so I want to make sure we have clarity on  
5 that.

6 MS. GOLDMAN: If I may, that actually is the  
7 reason for providing the Court and the record today with  
8 these hydrologic unit numbers. And Dr. Strauch will talk  
9 more about that later, but I believe that should be  
10 enough clarity for now.

11 THE COURT: Go ahead.

12 BY MS. GOLDMAN:

13 Q Okay. Okay. So have you been there?

14 A Yes.

15 Q How many times?

16 A Four times.

17 Q Okay. And have you been there -- were those  
18 four times for work?

19 A Yes.

20 Q Are you familiar in any way with the diversion  
21 structures on that stream?

22 A Yes.

23 Q How so?

24 A I have been to them and I have seen historical  
25 documentation as well.

1 Q Okay. Thank you. All right. Moving on to  
2 the next one -- oh, and what was the IIFS, the current  
3 IIFS for that stream?

4 A Status quo.

5 Q Okay. Moving on to the next one, Alo, A-l-o.  
6 It's in the Waikamoi Hydrologic Unit 6047. Have you been  
7 there?

8 MR. FRANKEL: Objection. Vague. I'm sorry.  
9 Are we talking about streams or tributaries? I just want  
10 clarity here.

11 MS. GOLDMAN: At the moment we are talking  
12 about streams and some tributaries that are important  
13 enough to be assigned hydrologic unit numbers.

14 THE WITNESS: There are no tributaries that  
15 are themselves hydrologic unit numbers.

16 BY MS. GOLDMAN:

17 Q Okay. Thank you. And what is -- what is Alo  
18 a reference to? That's A-l-o.

19 A It's a tributary of Waikamoi.

20 Q And is that one of the petitioned streams in  
21 your knowledge?

22 A Yes.

23 Q And was that considered separately from  
24 Waikamoi in the 2018 CWRM decision?

25 A No.

1 Q Okay. Thank you.

2 Moving on to Waikamoi, W-a-i-k-a-m-o-i, in

3 Unit No. 6047. Have you been there?

4 A Yes.

5 Q How many times?

6 A About 120.

7 Q Okay. When was the last time?

8 A In July.

9 Q Okay. Had you been there prior to 2018 or --

10 A Yes.

11 Q Thank you. And are you aware of the current

12 IIFS for Waikamoi Stream?

13 A It is the H-90 flow, which --

14 Q Okay.

15 A -- I don't remember off the top of my head the

16 actual number value, but it's in the decision and order.

17 Q Okay. Thank you. We'll return later to have

18 you just explain to us what an H-90 flow is, but for now,

19 thank you. Are you also familiar with any or all of

20 these diversion structures on Waikamoi Stream?

21 A Yes.

22 Q How are you familiar?

23 A From personal site visit knowledge as well as

24 historic documentation.

25 Q Okay. Thank you. Okay. So the next one is

1 Puokokamoa (sic). That's P-u-o-k-o-k-a-m-o-a (sic). It  
2 is No. 6048.

3 MR. FRANKEL: Objection. Assumes facts not in  
4 evidence in terms of being the next one.

5 THE COURT: Well, it's the next one she's  
6 asking about.

7 MR. FRANKEL: Okay. I think she's trying to  
8 go in geographic order. And I think --

9 THE COURT: I'll allow it.

10 MR. FRANKEL: I'm just trying to help out a  
11 little bit here.

12 MS. GOLDMAN: That's fair.

13 THE COURT: Just go ahead.

14 MS. GOLDMAN: Okay. I can just -- the next  
15 hydrologic unit normal is 6048 and the stream that I  
16 previously spelled the name of.

17 BY MS. GOLDMAN:

18 Q Yes. Have you been there?

19 A I think --

20 Q Puokokamoa --

21 A To solve this problem, the next hydrologic  
22 unit is Wahinepe`e.

23 Q Okay. Thank you.

24 THE COURT: Time out. We're too often  
25 stepping on each other's speaking. Okay. I think it's

1 probably because you're trying to speak slowly for our  
2 court reporter, which is great. The witness is  
3 anticipating where the question is going and sometimes  
4 jumping in, sometimes not. And then while the witness is  
5 answering, then you're sometimes jumping in before he's  
6 completely finished. So -- and that's totally normal  
7 conversation, so none of what I'm saying right now is a  
8 critique. It's just we need to not do it that way out of  
9 courtesy to our court reporter. So everybody, just try  
10 to turn off the normal conversation methods that we all  
11 engage in all the time to kind of move the talk along.  
12 We don't want to do that here. We want to take it nice  
13 and slow and one at a time to make our court reporter's  
14 job easier. So thank you very much. Go ahead.

15 MS. GOLDMAN: Okay. Understood. Thank you,  
16 Your Honor.

17 BY MS. GOLDMAN:

18 Q Okay. So Puokokamoa Stream. 6048. Have you  
19 been there?

20 A Yes.

21 Q How many times?

22 A 40?

23 Q Okay. And had you been there prior to  
24 November 2018?

25 A Yes.

1 Q And are you familiar with any of the diversion  
2 structures on that stream?

3 A Yes.

4 Q How?

5 A From personal site visit knowledge and  
6 historical documentation.

7 Q Okay. Okay. Moving on to Unit 6049. Stream  
8 named Ha`ipua`ena. That's H-a-i-p-u-a-e-n-a. Have you  
9 been there?

10 A Yes.

11 Q How many times?

12 A 40 or so approximately.

13 Q Okay. And when was the last time?

14 A August 3rd.

15 Q Okay. Of this year?

16 A Yes.

17 Q And had you been there prior to November 2018?

18 A Yes.

19 Q And are you personally familiar with any of  
20 the diversion structures on that stream?

21 A Yes.

22 Q How?

23 A From field work, personal experience.

24 Q Okay.

25 A Course work documentation.

1 Q Okay. Thank you. And are you aware of the  
2 current IIFS for that stream?

3 A Yes.

4 Q How -- pardon. Rather than the exact number,  
5 can you -- is there a characterization you can give to  
6 that IIFS?

7 A That is the connectivity -- that is one of the  
8 connectivity flow streams.

9 Q And I forgot to ask you I think with respect  
10 to the previous stream in Unit No. 6048. What was the  
11 IIFS for that stream?

12 A That is also a connectivity flow stream.

13 Q I'll have you explain that term later.

14 THE COURT: Excuse me. So we're going to take  
15 our break now. We'll go -- we'll go ten minutes. So  
16 I'll see you at 11:22. All right? We're in recess.  
17 Thank you.

18 MS. GOLDMAN: Thank you, Your Honor.

19 (A recess was taken.)

20 THE COURT: We're back on record. FTR on?

21 THE CLERK: Yes.

22 THE COURT: Ready to go. Go ahead,  
23 Ms. Goldman.

24 MS. GOLDMAN: Thank you, Your Honor.

25 BY MS. GOLDMAN:

1 Q Dr. Strauch, the next number on the list was  
2 6050. That's Punalau, slash, Kolea Stream.

3 P-u-n-a-l-a-u. Actually it's called Punalau Stream.

4 And perhaps you should help explain to the  
5 Court the confusion about Kolea that Mr. Frankel was just  
6 referring to comes from. Oh, you're muted.

7 A Sorry. Kolea is the main tributary of  
8 Punalau. So in the higher elevations it is referred to  
9 as Kolea, but the lower to middle reach of the stream is  
10 called Punalau.

11 Q Okay. And for our purposes here today, is it  
12 all right if we just refer to Kolea as the other stream  
13 we spoke about and we'll just refer to this one as  
14 Punalau?

15 A Yes.

16 MR. WYNHOFF: Your Honor, this is Bill  
17 Wynchhoff. I'm really sorry to interrupt my own colleague.  
18 The reason I came in late is I was just talking to Glenn  
19 Higashi, and he said that he's sort of, you know, two or  
20 three degrees of separation away from some COVID person.  
21 So he doesn't want to go to his office. He doesn't want  
22 to come here.

23 I think we can -- you know, I completely  
24 respect Mr. Schulmeister's absolute right to cross-  
25 examine him. I don't know if Mr. Schulmeister is going



1 to need a bunch of exhibits. If so that might not be  
2 possible. I don't think any of us has a problem right  
3 now. As a courtesy, I just want you and everybody to  
4 know so that we can start to think about it.

5 And again, I apologize, Your Honor,  
6 particularly to my colleague, Ms. Goldman.

7 THE COURT: That's fine. We can address that  
8 later, but it's good to have the heads up.

9 Go ahead.

10 BY MS. GOLDMAN:

11 Q Punalau Stream, Dr. Strauch. Have you been  
12 there?

13 A I have.

14 Q How many times approximately?

15 A 120.

16 Q And were those for work?

17 A Yes.

18 Q When was the last time?

19 A I was there on August 3rd.

20 Q Okay. Was this one of the streams addressed  
21 in the 2018 decision and order --

22 A Yes.

23 Q -- by CWRM?

24 What kind of -- was it given a restoration  
25 status of any --

1 A Yes.

2 Q What --

3 A The H-90 flow.

4 Q Are you familiar with any individual diversion  
5 structures on that stream?

6 A I am.

7 Q And how -- how are you familiar?

8 A Personal site visit and historic  
9 documentation.

10 Q The next stream is Honomanu, No. 6051. It's  
11 H-o-n-o-m-a-n-u. Have you been there, Dr. Strauch?

12 A Yes.

13 Q How many times?

14 A About 120.

15 Q When was the last time?

16 A Mid-July.

17 Q Had you been there prior to November 2018?

18 A Yes.

19 Q Are you familiar with any of the diversion  
20 structures on that stream?

21 A I am.

22 Q How?

23 A From personal site visits and historic  
24 documentation.

25 Q And are you aware of whether that stream was

1 given a new IIFS by the CWRM in 2018?

2 A Yes, it was.

3 Q And what kind of IIFS was it given?

4 A An H-90 flow.

5 Q The next stream is Nua`ailua, 6052. That's  
6 N-u-a-`-a-i-l-u-a. Have you been there?

7 A Yes.

8 Q How many times approximately?

9 A 40.

10 Q Okay. Were those all for work?

11 A Yes.

12 Q When was the last time?

13 A July. Sorry. Mid-July 2020.

14 Q Was that stream -- did the CWRM set a new IIFS  
15 for that stream in 2018?

16 A Yes.

17 Q What kind of IIFS was it?

18 A Connectivity flow.

19 MR. FRANKEL: Your Honor.

20 THE COURT: Yes, sir.

21 MR. FRANKEL: Can I ask -- can I stipulate  
22 that Ms. Goldman can ask leading questions for the  
23 remainder of this foundation to make it much faster?

24 THE COURT: Yes. Thank you.

25 MS. GOLDMAN: Thank you, Mr. Frankel.

1 BY MS. GOLDMAN:

2 Q The next stream is 605 -- excuse me. 6053.  
3 Pi`ina`au. P-i-`-i-n-a-`-a-u. Dr. Strauch, have you  
4 also been here for work many times?

5 A Yes. Approximately 120 times.

6 Q Okay. And are you also familiar with  
7 diversion structures on this stream due to your own  
8 personal observations?

9 A Yes.

10 Q Are you also familiar with them from your  
11 review of historic data?

12 A Yes.

13 Q Are you aware of the IIFS status?

14 A Yes.

15 Q What is it?

16 A Full restoration.

17 Q When was that done?

18 A In the 2018 decision and order by the Water  
19 Commission.

20 Q Okay. The next stream has the same number,  
21 6053. It's called Palauhulu, P-a-l-a-u-h-u-l-u. You  
22 been there?

23 A Yes.

24 Q How many times?

25 A 120 times.

1 Q For work; right?

2 A Yes.

3 Q And that stream was the subject of a new --  
4 the recipient of a new IIFS determination in 2018; right?

5 A Yes.

6 Q And it was restored to full restoration  
7 status; is that right?

8 A Yes.

9 Q Thank you. And you are personally familiar  
10 with the diversion structures existing on that stream?

11 A Yes.

12 Q And you are also familiar with those  
13 structures due to historical data and your review -- your  
14 review of historic data?

15 A Yes.

16 Q Next stream, 6055 on our list, or on the list  
17 that we are going down right now. Waiokamilo,  
18 W-a-i-o-k-a-m-i-l-o. You are also familiar with this  
19 stream from work?

20 A Yes.

21 Q How often have you been?

22 A 40 times approximately.

23 Q And you are also personally familiar with the  
24 diversion structures currently existing on this stream;  
25 correct?

1 A No.

2 Q No? Are you familiar with them in any way  
3 that's not based on your personal observation?

4 A Yes. Through historic documentation. The  
5 diversions were discontinued prior to my arrival at CWRM.  
6 And I assume you're referring to the East Maui Irrigation  
7 diversions, not the community diversions, which I am also  
8 familiar with from personal experience.

9 Q Thank you for the clarification, Dr. Strauch.  
10 Yes. That was the question. Okay. Have you been to  
11 Wailuanui, No. 6056?

12 A Yes.

13 Q How many times?

14 A About 120 times.

15 Q Those were for work; right?

16 A Yes.

17 Q Are you personally familiar with any of the  
18 diversion structures there?

19 A Yes.

20 Q Are you also familiar with the historic data?

21 A Yes.

22 Q What is the restoration status of Wailuanui?

23 A Full restoration.

24 Q And excuse me. That was the IIFS  
25 determination; correct?

1 A Yes.

2 Q When was that determined?

3 A In the 2018 decision and order.

4 Q Next, 6057, West Wailuaiki. That's W-a-i-l-u-  
5 a-i-k-i. You've been to West Wailuaiki; right?

6 A Yes.

7 Q You've been there for work; right?

8 A Yes.

9 Q How many times?

10 A About 120 times.

11 Q You are personally familiar with diversion  
12 structures on West Wailuaiki Stream that are diversions  
13 into the ditch system for A&B, EMI that is the subject of  
14 this matter; correct?

15 A Yes.

16 Q What is the IIFS for West Wailuaiki?

17 A Full restoration.

18 Q When was that determined?

19 A In the 2018 decision and order.

20 Q Next we have 6058, East Wailuaiki, same  
21 spelling. W-a-i-l-u-a-i-k-i. You've been there?

22 A Yes.

23 Q How many times?

24 A About 120 times.

25 Q You're familiar with it from your work;

1 correct?

2 A Yes.

3 Q And you are personally familiar with the  
4 diversion structures on East Wailuaiki Stream; correct?

5 A Yes.

6 Q As qualified by my previous statement  
7 regarding --

8 A Yes.

9 Q Okay. What is the restoration status -- or  
10 pardon me. What is the IIFS for East Wailuaiki?

11 A H-90 flow.

12 Q When was that set?

13 A In the 2018 decision and order.

14 Q To your knowledge, why -- or we'll come back  
15 to this actually.

16 The next stream is 6059, Pua`aka`a. That's  
17 P-u-a-`-a-k-a-`-a. No. 6059. You're familiar with this  
18 stream; correct?

19 MR. FRANKEL: Objection. Assumes facts not in  
20 evidence.

21 THE COURT: What? That it's a stream?

22 MR. FRANKEL: Correct, Your Honor.

23 THE COURT: All right.

24 MR. FRANKEL: It's a tributary.

25 THE COURT: If you could rephrase.



1 MS. GOLDMAN: Okay. Thank you, Your Honor.

2 BY MS. GOLDMAN:

3 Q Pua`aka`a Tributary. Dr. Strauch, have you  
4 been there?

5 A Yes.

6 Q How many times?

7 A Four times.

8 Q Had you been there prior to November 2018?

9 A Yes.

10 Q And you are personally familiar with the  
11 diversion structures, the relevant diversion structures  
12 on that tributary; correct?

13 A Yes.

14 Q And that tributary was -- had a new IIFS set  
15 in 2018; correct?

16 A Yes.

17 Q And the restoration status was connectivity  
18 status; right?

19 A Yes.

20 Q 6059 again. This stream is called Kopiliula.  
21 K-o-p-i-l-i-u-l-a. You've been there; right?

22 A Yes.

23 Q How many times?

24 A About 120 times.

25 Q Those were for work; correct?

1 A Yes.

2 Q Are you personally familiar with the diversion  
3 structures on Kopiliula Stream?

4 A No.

5 Q Are you aware of the diversion structures on  
6 that stream?

7 A Yes.

8 Q How so?

9 A Oh, wait. Sorry. I was mixing them up in my  
10 mind. I have them to the Kopiliula Intake. Ko`olau  
11 Ditch, yes.

12 Q Okay. And are you also familiar with  
13 diversion structures besides from your personal  
14 observations?

15 A From historic documentation, yes.

16 Q Kopiliula also received a new IIFS as of 2018;  
17 correct?

18 A Yes.

19 Q That was H-90? It was restored to H-90 flow;  
20 correct?

21 A Yes.

22 Q Next, No. 6060. Waiohue. W-a-i-o-h-u-e.  
23 You've been there; right?

24 A Yes.

25 Q This is Waiohue Stream; right?

---

1 A Yes.

2 Q How many times have you been there?

3 A 80 times.

4 Q And that was for work; right?

5 A Yes.

6 Q And you are personally familiar with diversion  
7 structures on Waiohue Stream; correct?

8 A Yes.

9 Q Are you also familiar with calculations and  
10 historical data regarding that stream?

11 A Yes.

12 Q Is that awareness something that you gained in  
13 the course of your job?

14 A Yes.

15 Q Waiohue Stream was fully restored by decision  
16 and order in 2018; is that right?

17 A The IIFS was full restoration, yes.

18 Q Thank you. Next, Pa`akea. That's 6061. And  
19 it's spelled P-a-`-a-k-e-a. You've been there?

20 A Yes.

21 Q How many times?

22 A Once.

23 Q Was that prior to November 2018?

24 A Yes.

25 Q Was -- are you personally familiar with the

1 diversion structures?

2 A Yes.

3 Q Okay. The 2018 CWRM decision set a new IIFS  
4 for Pa`akea; correct?

5 A Yes.

6 Q That restoration status was connectivity;  
7 right?

8 A Yes.

9 Q Next, 6061, Puakea Stream. P-u-a-k-e-a. Have  
10 you been there?

11 A Yes.

12 Q How many times?

13 A One time.

14 Q And was that prior to November of 2018?

15 A Yes.

16 Q Are you personally familiar with the diversion  
17 structures or structures on Puakea Stream?

18 A Yes.

19 Q What is the current IIFS for Puakea?

20 A It does not have one, I don't think.

21 Q By that do you mean that it has the status  
22 quo --

23 A Sorry. Yes.

24 Q Just to clarify. And which hydrologic unit is  
25 that stream in?

---

1           A           Puakea is in the Pa`akea hydrologic unit.

2           Q           Next --

3           A           It's a tributary of Pa`akea.

4           Q           Thank you for clarifying.

5                       6062, Waiaaka. W-a-i-a-a-k-a. What's that?

6           A           You mean --

7           Q           Is it a stream?

8           A           Yes.

9           Q           That's what I meant.

10          A           It is a stream.

11          Q           Okay. Have you been there?

12          A           Yes.

13          Q           How many times?

14          A           About a dozen.

15          Q           Were any of those times from prior to November

16   2018?

17          A           Yes.

18          Q           Was Waiaaka one of the petitioned streams

19   before CWRM in 2018?

20          A           Yes.

21          Q           And did the CWRM set a new IIFS for that

22   stream?

23          A           It set a quantifiable number at the highway,

24   yes.

25                       THE COURT: I don't understand what you mean

1 by that.

2 THE WITNESS: There is an IIFS, yes.

3 BY MS. GOLDMAN:

4 Q Okay. That IIFS is not, however, full  
5 restoration; correct?

6 A Correct.

7 Q And it's not H-90; right?

8 A Correct.

9 Q And it's not connectivity status; right?

10 A Correct.

11 Q Thank you. 6063, Kapaula, K-a-p-a-u-l-a.

12 Have you been there?

13 A Yes.

14 Q Is that a stream or a tributary?

15 A That's the name of the main stream.

16 Q How many times have you been there?

17 A About a dozen times.

18 Q Those times were for your current job;

19 correct?

20 A Yes.

21 Q And you had been there prior to November 2018;

22 correct?

23 A Yes.

24 Q And you are personally familiar with diversion  
25 structures relevant to this case on Kapaula Stream?

1           A           Yes.

2           Q           And that stream received a new IIFS from the  
3 CWRM in 2018; right?

4           A           Yes.

5           Q           And that stream's new IIFS was designed to  
6 restore it for connectivity; correct?

7           A           Yes.

8           Q           Next, 6064. Hanawi. That's H-a-n-a-w-i. I  
9 believe with the kahako. Have you been there?

10          A           Yes.

11          Q           How many times?

12          A           About 60 times.

13          Q           Are you -- those times were for work; right?

14          A           Yes.

15          Q           And you are personally familiar with some or  
16 all of the diversion structures relevant to that stream;  
17 correct?

18          A           Yes.

19          Q           I would just clarify the previous question by  
20 saying the diversion structures we're referring to are  
21 the ones that lead into the A&B EMI ditch system;  
22 correct?

23          A           Yes.

24          Q           Maka -- oh. The CWRM set a new IIFS for  
25 Hanawi in 2018; right?

1 A Yes.

2 Q Hanawi was restored for connectivity; right?

3 A Yes.

4 Q Makapipi Stream, 6065. M-a-k-a-p-i-p-i.

5 You're familiar with Makapipi; right?

6 A Yes.

7 Q Okay. How many times have you been there?

8 A About 60 times.

9 Q Those are for work; right?

10 A Yes.

11 Q Are you also personally family with the  
12 diversion structures on Makapipi Stream?

13 A Yes.

14 Q With some or all of those structures?

15 A Yes.

16 Q That stream was given a new IIFS in the 2018  
17 decision by CWRM; correct?

18 A Yes.

19 Q That stream, Makapipi, was restored fully or  
20 it was full restoration was the intent of the new IIFS;  
21 correct?

22 A Full flow restoration; correct.

23 Q Full flow restoration.

24 And Makapipi -- is that the last stream  
25 before -- before -- is that the end of the ditch system



1 basically?

2 A Technically it's the start of the --

3 Q Thank you. Okay. Well, it marks one end;  
4 correct?

5 A Yes.

6 Q Thank you. And just for everybody in the  
7 courtroom, we are going to skip -- (inaudible).

8 Okay. Okay. Earlier you talked about --  
9 earlier you talked about part of one of your job duties,  
10 you're involved in gauging and monitoring these streams;  
11 correct?

12 A Correct.

13 Q Tell us what kind of work you do to that end.

14 A So we monitor instream flow standards below  
15 stream diversions where the Commission has established  
16 instream flow standards or interim instream flow  
17 standards.

18 And we monitor in a few occasions natural  
19 flow, whether it's used as an index station or the flow  
20 of water above a diversion. And in this capacity we  
21 survey in the equipment, the datum. The datum is a point  
22 of reference. It's a fixed point that the elevations are  
23 taken from. We survey in a staff plate, which is  
24 essentially a ruler in the stream to measure the  
25 elevation or the height of the water in the stream. We

1       then install equipment to monitor as in log or record the  
2       elevation. And sometimes that equipment can communicate  
3       via satellite or cellular network. But most of the time  
4       because of expenses, we include or we install data  
5       loggers that have to be manually downloaded.

6                The stage, however, of the stream doesn't tell  
7       us the flow rate. Flow rate has to be converted using  
8       what's called a rating curve. And we develop a rating  
9       curve by taking flow measurements across a range of flow  
10      conditions, from low flow to high flow, in order to  
11      develop a mathematical model to convert the elevation of  
12      the water to flow.

13               Now, this --

14       Q       Okay.

15       A       -- rating curve --

16       Q       I'm sorry. May I stop you there to just  
17      clarify for all of us what some of those terms mean. You  
18      used a lot of really big terms right there or just terms  
19      that I believe are not common knowledge to  
20      non-scientists. What is a stage?

21       A       The elevation of the water above a datum.

22       Q       Why is that important?

23       A       Because as water flow, which is a three-  
24      dimensional concept, increases or decreases, the  
25      elevation in the water changes. And we don't have the

1 technical capability to monitor three-dimensional flow in  
2 natural conditions such as Hawaiian streams. So we have  
3 to monitor the elevation of the water one-dimensional and  
4 we convert that into flow. It's just a limitation of the  
5 technical ability to monitor.

6 Q Okay. You said that monitor -- or that flow  
7 is a 3D concept. Can you explain that a little further.

8 A So technically it's 4D because it's a volume  
9 per second or per unit of time. In the Commission's  
10 case, they often establish instream flow standards per  
11 day. So it's like a unit called million gallons per day.  
12 But in -- the standard form -- the standard methods that  
13 the U.S. Geological Survey and the Water Commission staff  
14 use on a daily basis is called cubic feet per second.  
15 They can be converted interchangeably. Irrigation  
16 operators often use acre feet. It's another unit. So  
17 it's -- you know, flow is three-dimensional. It's  
18 widths, depths, and height. And it's width, depths, and  
19 length and then unit time.

20 Q Okay. Thank you. What does the flow of a  
21 stream tell you?

22 A It's the amount of water moving in a stream  
23 channel.

24 Q And that's throughout the whole stream or  
25 what --

1           A           At a specific location.

2           Q           What goes into setting up a gage?

3           A           Well, as I just described, you have to  
4           establish a datum. So in a global setting, the datum is  
5           relevance to mean sea level, but it's not relevant to  
6           measure elevation of tiny changes in elevation of water  
7           relative to mean sea level. So you establish what's  
8           called a local datum, and that is a point of reference.

9                        You see these frequently with surveyors. They  
10           establish datums on bridges or in other areas. You'll  
11           actually find U.S. Geological Survey datums spread  
12           throughout the state. Those are other datums.

13                      But for a gaging station, a datum is  
14           established, and then you install other points of  
15           reference that are in fixed places, that hopefully don't  
16           move because we have dynamic streams that are constantly  
17           eroding. And so the movement of large boulders or  
18           structures that might affect the conditions in the  
19           stream -- you want to not place your datum or reference  
20           points on those. You want them stable.

21           Q           You just sort of touched on it. But how else  
22           do you figure out where to physically put the gage?

23           A           So the actual data logger and staff plate need  
24           to be in a section of the stream channel that has a good  
25           control point, which is a reference of zero flow or an

1 elevation of zero flow.

2 And you want a -- what we call a gage pool.

3 So it's a part of the stream channel that has consistent  
4 changes in elevation with changes in flow that makes  
5 developing a reading curve easier.

6 Q Pardon.

7 A Sorry.

8 Q Continue.

9 A And then you install the data logger or the  
10 pressure transducer equipment in the gage pool and site  
11 it in reference to the staff plate and the datum.

12 Q Do all streams have good areas to monitor?

13 A Not in the case of Hawaii. We have a lot of  
14 difficult conditions in the field that make monitoring  
15 challenging. This includes rapidly eroding watersheds,  
16 watersheds that have gaining and losing reaches that make  
17 measurements difficult relative to the equipment. We  
18 just also have access issues. So there might be a great  
19 place to monitor a thousand feet or at a thousand foot  
20 elevation, but to get there -- I mean, we're limited by  
21 the availability of roads and trails. And for helicopter  
22 landing zones, for example.

23 Q Okay. And what is the purpose of taking these  
24 measurements aside from, as you previously said,  
25 measuring the amount of water at any given time?

1           A           So what is the purpose of the data?

2           Q           Yes.

3           A           So the data are used to establish how -- how  
4 much flow is in the stream over a period of time before  
5 ensuring that interim instream flow standards are being  
6 adhered to but also for monitoring natural flow  
7 conditions. So what is the variability of flow at a  
8 particular location.

9           Q           How many gaging stations do you maintain  
10 statewide?

11          A           The Water Commission maintains?

12          Q           Yes. Thank you for clarifying. How many  
13 gaging stations does the Water Commission maintain?

14          A           I think 42, off the top of my head.

15          Q           How many are on Maui? On the Island of Maui?  
16 Approximately.

17          A           Approximately 20, 22. Somewhere around there.

18          Q           Approximately how many of these are located  
19 within this particular licensed area?

20          A           Approximately 12.

21          Q           And of those approximately 12, how many needs  
22 to be visited in person to retrieve the data?

23          A           All of them.

24          Q           And who does that?

25          A           Me.

1 Q How often do you do that?

2 A At least quarterly.

3 MS. GOLDMAN: Your Honor, I notice that it is  
4 11:58 a.m., and I was going to move to an exhibit. So  
5 perhaps this would be a good time to break?

6 THE COURT: That's fine. Let's go off record  
7 for a second.

8 (A recess was taken.)

9 THE COURT: We're back on record. FTR on?

10 THE CLERK: Yes, it is.

11 THE COURT: Ready to go?

12 MR. FRANKEL: I think there that's one thing  
13 that we wanted to put on the record. Mr. Schulmeister?

14 THE COURT: Go ahead.

15 MR. SCHULMEISTER: Yeah. I've given the -- I  
16 wanted to make everyone's life a little easier. So I've  
17 decided -- I looked over what I was going to talk to  
18 Mr. Higashi about, and I've decided I can waive that.

19 THE COURT: Okay. So we're not going to --

20 MR. SCHULMEISTER: Rather than force him to  
21 come in.

22 THE COURT: That would mean no one's going to  
23 recall him?

24 MR. SCHULMEISTER: Unless somebody else wants  
25 to. But I'll waive recalling him.

1 THE COURT: Okay.

2 MS. GOLDMAN: Your Honor, I don't know that we  
3 made 100 percent final decision on that, but yes, we do  
4 not believe we will be calling him.

5 THE COURT: Okay. We'll just leave it at that  
6 for now. Thanks for the heads up though. That's  
7 helpful.

8 All right. We ready to go with -- I'm sorry.  
9 Do you go by mister or doctor or what do you prefer?

10 THE WITNESS: It's usually Ayron, but Dr.  
11 Strauch works too if someone wants to try and pronounce  
12 my last name.

13 THE COURT: I will refer to you as Dr. Strauch  
14 unless you tell me otherwise.

15 THE WITNESS: Okay.

16 THE COURT: Ready to go, Ms. Goldman?

17 MS. GOLDMAN: Yes, Your Honor.

18 THE COURT: All right.

19 MR. WYNHOFF: Your Honor, Bill Wynhoff here  
20 again. Hi. Susan Case said she just got done and might  
21 be on any minute now.

22 THE COURT: That's good. Thanks.

23 MS. GOLDMAN: Thank you.

24 THE COURT: Go ahead.

25 BY MS. GOLDMAN:



1           Q           Before the lunch break, Dr. Strauch, we were  
2           talking about stream gaging and your work in monitoring  
3           the streams. You mentioned that there were a dozen gages  
4           approximately in the licensed area at issue here. Is  
5           that right?

6           A           That CWRM maintains; correct.

7           Q           That CWRM maintains. If money was no object,  
8           would CWRM maintain a gage on every stream?

9           A           No because in terms of instream flow standard  
10          compliance, some of the instream flow standards can be  
11          complied with without constant monitoring.

12                    In terms of natural flow conditions, the  
13          natural flow conditions are monitored by U.S. Geological  
14          Survey through a cooperative agreement with us. And  
15          those flow conditions are monitored throughout the area.  
16          And the conditions that occur in one stream are  
17          equivalent to conditions that are occurring in  
18          neighboring streams. So it would be redundant to monitor  
19          every stream.

20          Q           Okay. So just to clarify, so if money and  
21          resources were absolutely no object, would it still be  
22          redundant?

23          A           Correct.

24          Q           Okay. In this data -- what happens to the  
25          data that you 're collecting from the gaging station?

1           A           So the Commission collects I guess three types  
2           of data. First we make flow measurements. So that's a  
3           point-in-time measurement of how much water is moving  
4           through the certain channel. Then we collect stage data  
5           that are recorded on the data loggers that are the  
6           equipment that we install in the stream. And then we  
7           convert the stage data using a rating curve that we  
8           develop into flow. That flow data gets compiled.  
9           Generally decisions get made based on mean daily flow  
10          values. And that data then gets historically uploaded to  
11          the CWRM website.

12                        We are currently in the works of developing a  
13          geoportal that gives the public more access to the raw  
14          data, which our IT capabilities are somewhat limited so  
15          we can only publish the processed data in PDF format at  
16          the moment.

17          Q           So the gaging data that you collect from this  
18          East Maui area is currently available to the public  
19          online?

20          A           Much of it is, yes.

21          Q           And even if resources were no object, you  
22          would not -- I withdraw that question actually. How do  
23          you verify that an IIFS is being met?

24          A           By doing point measurements again and by  
25          analyzing the data recorded in the date loggers to verify

1       that the minimum amount of flow is occurring over a  
2       period of time. And then we compare that data to natural  
3       flow conditions at index stations, which are  
4       representative of the hydrology of the point of interest.

5           Q       Talk a little bit more about index stations  
6       please for just a moment.

7           A       So index stations are long-term continuous  
8       monitoring stations that the USGS maintains with CWRM as  
9       a cooperative agreement. USGS stands for U.S. Geological  
10      Survey. They are the agency, the federal agency, charged  
11      with monitoring hydrological resources in the United  
12      States.

13                   And through a cooperative agreement, we  
14      maintain index stations in East Maui to provide us with  
15      realtime information that is relevant to both our  
16      instream flow standard analysis, but also to the general  
17      public for flooding issues, for long-term analysis of  
18      hydrological conditions, these sorts of things.

19           Q       Okay. And in working with the USGS to  
20      maintain this data, where is all of this data stored?

21           A       In the publicly available database, another  
22      geoportal. The easiest way to access those are through  
23      our website. We have a link or actually a page on our  
24      website that presents the most up-to-date information  
25      across the state. But then it is also accessible to the

1 federal government's geoportal.

2 And the reason why we maintain this database  
3 of index stations is because the Water Code specifies  
4 that within the Hawaii water plan, the Water Resource  
5 Protection Plan identifies the need to monitor  
6 hydrological resources across the state to make sound  
7 management decisions. So it falls on the Water  
8 Commission to fund the statewide network of hydrological  
9 monitoring stations.

10 Q So would you say in your understanding the  
11 members of the Board of Land and Natural Resources know  
12 that CWRM is collecting this data?

13 MR. FRANKEL: Objection. Calls for  
14 speculation, lacks foundation.

15 THE COURT: I'll allow it. It's his  
16 understanding.

17 MR. FRANKEL: Whether they know?

18 THE COURT: Just if they know that data's  
19 being collected. That's all. His understanding.

20 THE WITNESS: So this is --

21 THE COURT: He's here in front of me, so I  
22 might as well find out.

23 THE WITNESS: These are the -- these data  
24 sources that USGS gather, whether it's rainfall,  
25 streamflow, ground water levels, are relative to a

1 variety of agencies and the public. And they're relied  
2 upon by everybody as a source of information. So I  
3 would -- I mean, it is my understanding that the agencies  
4 that are interested in water resources would go to these  
5 websites.

6 BY MS. GOLDMAN:

7 Q What --

8 A Which include the state Commission on Water  
9 Resource Management's own website.

10 Q What other agencies do you personally have  
11 experience working with this data?

12 A We work with U.S. Fish and Wildlife Service,  
13 USDA Forest Service, other members of the USGS, the  
14 University of Hawaii, the Division of Aquatic Resources,  
15 Land Division, even SHPD in some cases, state parks.  
16 Maui County at a number of different levels, Hawaii  
17 County. Actually each county agency. The Big Island.

18 Q You used an acronym SHPD. If you could state  
19 what that means --

20 A State Historic Preservation Division. It's  
21 the agency -- it's the division within DLNR that's  
22 charged with historic preservation.

23 Q Okay. So you work with federal organizations  
24 as well as with various divisions within DLNR on some of  
25 these monitoring?

1           A           And nonprofit divisions as well or nonprofit  
2 agencies.

3           Q           And if A&B, EMI was not honoring the IIFS, how  
4 would you know?

5           A           We would look at the data and it would show  
6 that the flows at that particular location, which should  
7 be at value or not at value.

8           Q           So let's break this down a little bit. So if  
9 in your evaluation you notice that a flow does not appear  
10 to be meeting the IIFS, what do you do?

11          A           The first thing we do is we look at natural  
12 hydrological conditions. So in some cases, the IIFS is  
13 set at a flow that occurs 90 percent of the time. So in  
14 -- a flow duration occurs, which is a mathematical  
15 representation of the magnitude of flows that occur over  
16 a given period of time.

17                   A flow that occurs 90 percent of the time or  
18 the Q 90 flow is a relatively low flow. That means only  
19 10 percent of the time is there not that amount of water  
20 naturally in the stream.

21                   However, we have to figure out if that 10  
22 percent of the time is occurring or not. And that's why  
23 we use index stations.

24          Q           And what -- what would be the procedure if you  
25 did determine that low flows were due to negligence or

1 some reason related to EMI and A&B's diversions of the  
2 water?

3 A We would do a couple of things. One, we would  
4 formulate -- we would have an internal conversation about  
5 the next steps to take, and then we would formulate a  
6 notice of violation letter. And that goes through the  
7 Regulations and Preventing Section. And then that would  
8 get sent to A&B. We would probably also communicate that  
9 to A&B that we were formulating this notice of violation.

10 Generally speaking, outside of this situation  
11 that we're talking about, we've had complaints about  
12 instream flow standards not being met. And so we would  
13 engage with the person or entity making the complaint as  
14 well.

15 Q And if a complaint is made and you have reason  
16 to suspect some kind of violation and you send one of  
17 these notices of violation, is it your understanding that  
18 the Board of Land and Natural Resources would be aware?

19 A We would consult with the Land Division as  
20 well and, therefore, the Board.

21 Q Okay. What is a ratings curve? You've  
22 mentioned it a few times.

23 A The rating curve is the mathematical formula  
24 that allows us to convert the stage or height of flow in  
25 a stream to a flow rate. So either cubic feet per second

1 or million gallons per day.

2 Q What does such a measurement tell you?

3 A It allows us to convert the staged data  
4 recorded by the data logger into flow.

5 Q What is base flow? What does that term mean?

6 A Base flow tends to be considered, especially  
7 here in Hawaii, the ground water contribution to  
8 streamflow. The ground water contribution can be high  
9 elevation ground water or it can be base flow ground  
10 water. But it's generally the ground water contribution  
11 to streamflow.

12 Q And are there any diversions that capture 100  
13 percent of base flow?

14 A Most of them do.

15 Q Okay. What's an example -- or excuse me. Are  
16 you aware of any diversions in the East Maui area that  
17 collect 100 percent of base flow?

18 A Yes. And can I qualify my previous answer  
19 with, I assume you're referring to this region, East  
20 Maui, when you asked do diversions take 100 percent of  
21 base flow.

22 Q Yes, I was. Thank you for clarifying. How  
23 can you tell that a diversion is designed to capture 100  
24 percent of base flow?

25 A Based on the geometry and the structure.



1           Q           What's an example from the East Maui area of a  
2           type of diversion that's designed to capture 100 percent  
3           of base flow?

4           A           The intakes on Hoolawa nui or Hoolawa lii lii  
5           are where the grate is across the entire stream channel  
6           but allows the base flow to drop into a collection basin  
7           that then is connected to the ditch.

8           Q           So if 100 percent of base flow is captured,  
9           does that mean that 100 percent of all water is captured?

10          A           No.

11          Q           Why not?

12          A           Streamflows here in Hawaii vary quite rapidly  
13          over time and across various orders of magnitude, which  
14          the diversions are designed to capture the low to medium  
15          flows because those are the stable flows. The higher  
16          peak flows, the freshets, occur bringing silts, gravel,  
17          debris, boulders in some cases downstream.

18                    These are young volcanic islands that are  
19          rapidly eroding. And we have a steep elevational  
20          gradient in which orographic rainfall, so the rainfall  
21          that the trade winds bring. I'm trying to not use  
22          technical terms. I'm sorry. We have big rain events  
23          frequently, especially during wet seasons, but also on  
24          other parts of the islands during dry seasons due to  
25          various climactic factors. And these storm events cause

1 tremendous amounts of rainfall and runoff which  
2 transports sediment, debris.

3 The operation of the irrigation system is  
4 designed to not capture the -- it takes a lot of effort  
5 to keep these irrigation systems clean. And they don't  
6 want the rock, the debris, to end up in the irrigation  
7 system. So the --

8 Q (Indiscernible). By in the irrigation system,  
9 do you mean in the ditch?

10 A Yeah. Yeah. So the diversions themselves  
11 allow the boulders to either roll across them and  
12 therefore -- with the high flow events or the water  
13 buildup behind the dam and spills over during these high  
14 flow events and the gravel and cobble are sluiced through  
15 a sluice gate.

16 Either way, the bigger flow events that occur  
17 maybe 20 percent of the time, 30 percent of the time,  
18 depending on the individual stream and point of  
19 diversion, they continue downstream. So when a diversion  
20 is designed to capture up to a certain say 10 million  
21 gallons per day, all the flows greater than 10 million  
22 gallons per day continue downstream.

23 Q And you're saying that that is an intentional  
24 design feature designed to keep sediment and boulders,  
25 etc., out of these ditches?

1           A           Correct. Also the ditch has its own maximum  
2           capacity, and when it rains and there's a lot of runoff,  
3           we're talking hundreds of times greater than the maximum  
4           capacity of the ditch itself. And so they -- they really  
5           don't want those big runoff events.

6                    THE COURT: I need a clarification, Doctor. A  
7           little while ago you were talking about base flow and you  
8           used the -- you used the term ground water contribution.  
9           I think I know what that is, but could you make it  
10          completely clear for me?

11                   THE WITNESS: Sure. So if you think of a --  
12          if you took a cross-section, if you slice the island in  
13          half and you looked at it, we have basically two types of  
14          ground water. We have the basal lens, which is a lens-  
15          shaped water body that sits on salt water that is  
16          basically at sea level. It extends above and below sea  
17          level. But it's at the sea level elevation. That's  
18          called the basal lens. And then we have higher elevation  
19          water, and those are things like perched water bodies  
20          that occur because of what we call aquitards or geology  
21          prohibits the downward infiltration of water. We also  
22          have dike-impounded ground water. So we have vertical  
23          aquitards which impound water vertically.

24                    And as a stream channel erodes, we get what's  
25          called incision. And the stream channel is -- this is

1 part of watershed geology. And as the stream erodes, we  
2 get incision. You can think about it like a V shape.  
3 Starts out a shallow V and then gets narrower and deeper  
4 and deeper. As that V intersects these high elevation  
5 ground water bodies, you get ground water spilling into  
6 the stream.

7 So it can be in the form of a spring on the  
8 side of the mountain. It could be a spring coming up in  
9 the stream itself. That's where you get ground water  
10 contributions. And it's all based on geology and age of  
11 substrate, and that sort of thing.

12 THE COURT: Got it. Thank you.

13 BY MS. GOLDMAN:

14 Q That was an excellent question. Thank you for  
15 clarifying that, Dr. Strauch.

16 Could you also please explain what surface  
17 water is -- what is meant by that term?

18 A Generally speaking, we have runoff and then we  
19 have ground water contributions to surface water. So the  
20 streamflow is a combination of runoff and ground water  
21 that's contributing to the stream.

22 Q Okay. So earlier you mentioned that capturing  
23 100 percent of base flow doesn't necessarily mean 100  
24 percent of all water is captured. Actually I'll withdraw  
25 that portion. What is a gaining stream?

1           A           Gaining stream is a stream where as you move  
2           from high elevation to low elevation, there is more flow  
3           in the stream due to more ground water contributions to  
4           the streamflow.

5                        So in a hypothetical situation, if it's really  
6           dry for say a week at a time, you know there's no runoff.  
7           In a gaining stream, it might start at 1,000 foot  
8           elevation and have 5 cubic feet per second in the stream  
9           channel. And you move down slope to the 800 feet  
10          elevation and you might have 7 cubic feet per second.  
11          You know there's no contributions from other surface  
12          water sources, no other tributaries flowing. There's no  
13          runoff flowing into the stream. But there's more water  
14          in the stream because of that increased ground water  
15          contribution.

16          Q           Okay. And so you mentioned -- or let's just  
17          take a stream for an example. Let take Hoolawa Stream.  
18          What -- to your knowledge what is the highest elevation  
19          ditch that Hoolawa intersects with?

20          A           Waialoa Ditch.

21          Q           Okay. So hypothetically speaking, if a  
22          photograph showed that the ground here is dry immediately  
23          makai of a diversion, does that mean the rest of the  
24          stream will be dry?

25          A           No. It depends on the specific tributary that

1     you're talking about, the size of the stream, the depth  
2     of the incision within the watershed.

3             So Hoolawa nui, for example, the larger  
4     tributary, if you move from -- if you move makai of the  
5     Waialoa Ditch intake a few thousand feet downstream, you  
6     will get ground water seeping down into the stream.

7             THE COURT: So before we move on, the record  
8     should show that before the doctor started to answer,  
9     Mr. Frankel was trying to interpose an objection, I  
10    believe, but he was muted. So -- as I promised before  
11    this trial started, I said I'm not going to hold that  
12    against anybody. So I'm going to keep true to that  
13    promise.

14            So go ahead and make your objection now and I  
15    will I apply it.

16            MR. FRANKEL: Thank you. I was going to  
17    object that it was calling for expert opinion because it  
18    was a hypothetical. His answer, however, towards the end  
19    was observational. But to the extent that the beginning  
20    part of his answer was providing opinion testimony, I  
21    move to strike.

22            THE COURT: All right. That's overruled.

23    BY MS. GOLDMAN:

24            Q        So I think you may have touched on this, but  
25    I'm not sure how clear it was. What happens when there

1 is a freshet or one of those runoff events or flash  
2 floods that causes the amount of water to exceed the  
3 capacity of the diversion?

4 A There is continual flow downstream past the  
5 diversion.

6 Q And does this happen -- how often do these  
7 types of events happen?

8 A About -- well, it depends on the stream, it  
9 depends on the diversion, but back of the envelope,  
10 probably 30 percent of the time you get flows that exceed  
11 the capacity of any given diversion.

12 Q So by 30 percent of the time, you mean like in  
13 a ten-day period, three days on average would have a  
14 condition like that?

15 A No. I mean over a 30-year period, 30 percent  
16 of the time we'll have these conditions.

17 Q Okay. How do you know that it happens with  
18 regularity?

19 A Because we have both historic stream gaging  
20 data on many of these streams, but also current realtime  
21 data on the index stations that I mentioned. So we  
22 continue to gather these -- the frequency of peak flow  
23 events.

24 And -- and then our gaging stations that  
25 monitor instream flow standards, they obviously also

1 register all flows. I mean, they're monitoring 15  
2 minutes -- every 15 minutes. And so when a stream is 8  
3 feet deep, we're also reading 8 feet deep. I mean, we're  
4 just not as interested in it for management of instream  
5 flow standards.

6 Q Okay. Earlier you testified regarding your  
7 personal knowledge and your historical observations and  
8 analysis of various diversion structures located within  
9 this licensed area. To your knowledge, are there any  
10 diversion structures that you know of that do not ever  
11 get flushed out by storm water?

12 A If there's a structure in the stream, it would  
13 be designed to allow for the peak flow events to flow  
14 over it.

15 Q I think that means no.

16 A Not all diversions are structures in the  
17 stream.

18 Q Thank you for clarifying. So all diversion  
19 structures that are in the stream allow for freshets to  
20 pass over; correct?

21 A Yes.

22 Q Of these 13 streams that -- if I refer to 13  
23 streams, do you know which 13 streams I'm referring to?

24 A Yes.

25 Q Of these 13 -- of those 13 streams, which ones



1 are gaining streams?

2 A Hoolawa.

3 MR. SCHULMEISTER: I would like to object.  
4 I'd like the record a little clearer. I don't need the  
5 names of the streams, but just some further description  
6 of the 13 streams would be useful.

7 THE COURT: That's fine. Go ahead.

8 MS. GOLDMAN: Understood.

9 BY MS. GOLDMAN:

10 Q To your knowledge, how many streams within  
11 this licensed area are still covered by a historical IIFS  
12 set at the status quo? In other words, how many did not  
13 have a new IIFS set or clarified in 2018?

14 A How many streams were gaining that don't have  
15 a 2018 established IIFS?

16 Q How many streams of the list that we earlier  
17 went through? How many of these did not have an IIFS set  
18 or clarified in 2018?

19 THE COURT: Yeah. Whether gaining or not.

20 BY MS. GOLDMAN:

21 Q Whether gaining or not. Yes.

22 A I think it's the 13.

23 MS. GOLDMAN: Is that sufficient? Okay.

24 BY MS. GOLDMAN:

25 Q How many of those 13 are gaining, or which

1 ones of those 13 are gaining streams?

2 A They all are.

3 Q Okay. How do you know?

4 A Because I've been to the streams during dry  
5 conditions at high elevations and during dry conditions  
6 at low elevations when they weren't being diverted and  
7 seen an increase in flow.

8 Q And when you made those observations, I assume  
9 you mean it was also not a flash flood event?

10 A Right. During dry conditions. Sorry.  
11 Climate and hydrologically, there was no runoff into the  
12 stream. So the observations were based on ground water  
13 gains. We also have USGS data on representative streams  
14 in this area that measure the -- quantified the gains and  
15 flow.

16 Q Just a moment, Your Honor. Thank you for your  
17 indulgence.

18 Earlier, Dr. Strauch, you mentioned I believe  
19 it was nine instream values; right?

20 A Yes. Defined by the state Water Code.

21 Q Thank you. Is one of those values -- did I  
22 get it right that -- sorry -- that one of those values  
23 include habitat for aquatic biota?

24 A Yes.

25 Q And if I understood you correctly, you are

1 mandated by the law to evaluate that type of data;  
2 correct?

3 A Yes.

4 MR. FRANKEL: Objection. Vague as to you.

5 THE COURT: Sustained.

6 MS. GOLDMAN: I'll clarify, Your Honor.

7 BY MS. GOLDMAN:

8 Q The CWRM. Not you individually.

9 MR. FRANKEL: Objection. Calling for a legal  
10 conclusion, lacks foundation.

11 THE COURT: I'll allow it as to this witness's  
12 understanding. I'm not going to take it as a binding  
13 legal decision.

14 THE WITNESS: So the Instream Use Protection  
15 Section is charged with carrying out the establishment of  
16 instream flow standards that protect the instream values  
17 as defined by the State Water Code. So as one of the  
18 nine instream values is aquatic habitat. We work closely  
19 with the Division of Aquatic Resources, Fish and  
20 Wildlife, and use all the available data to evaluate  
21 habitat for fresh water biota.

22 BY MS. GOLDMAN:

23 Q How is aquatic habitat quantified?

24 MR. FRANKEL: Objection. Lacks foundation,  
25 calling for expert testimony.

1 THE COURT: Hold on. Ms. Goldman,  
2 Mr. Wynhoff. We are wondering if -- where you guys all  
3 disappeared with the witness.

4 MS. GOLDMAN: I beg your pardon, Your Honor.  
5 We were just trying to get the witness set back up in my  
6 office.

7 MR. FRANKEL: No. He was sitting down.

8 THE COURT: Mr. Frankel, just take it easy. I  
9 can handle this. Okay?

10 MS. GOLDMAN: In an abundance of caution, we  
11 can assure the Court that we won't have any conversations  
12 relevant to this on any breaks or anything --

13 THE COURT: All right.

14 MS. GOLDMAN: -- of that nature.

15 THE COURT: Understood. But he will be  
16 subject to cross on that. So we'll see what happens.

17 MR. FRANKEL: Your Honor, can we get some  
18 assurance about what just happened?

19 THE COURT: You can ask him when it's your  
20 turn. Right now it's her examination. Okay?

21 Go ahead.

22 MS. GOLDMAN: Thank you, Your Honor.

23 BY MS. GOLDMAN:

24 Q Earlier, Dr. Strauch -- thank you for waiting,  
25 by the way. Earlier we talked about the stream

1 restorations ordered by the CWRM and we talked about two  
2 different categories that we didn't go into some detail  
3 about. Now I'd like you to tell us what those things  
4 mean. What is H-90 flow?

5 A So as I had mentioned before, we have what are  
6 called flow duration curves. It is the magnitude of flow  
7 that occurs for a given length of time or across a given  
8 length of time. So a Q 50 flow represents the median  
9 flow for say a 30-year period, from 1980 to 2010, for  
10 example.

11 An H-90 flow, by contrast, is the magnitude of  
12 flow that supports 90 percent of the habitat. So in  
13 various studies for East Maui specifically, the concept  
14 of restoring a particular amount of habitat was brought  
15 up within the contested case process. And the amount of  
16 flow that is necessary to restore 90 percent of the  
17 habitat was calculated as 64 percent of median base flow.

18 So I had just mentioned median flow. We --  
19 that's related to total flow. Median total flow, which  
20 is the total amount of water available. Base flow is  
21 derived from a separate mathematical formula which  
22 isolates the base flow component or, as I mentioned  
23 earlier, the ground water component of total flow.

24 So 64 percent of median base flow provides 90  
25 percent of the habitat for a stream as determined by

1 previous studies.

2 Q Dr. Strauch, is that a certainty? Is that  
3 something that everyone in the world agrees on? Or is  
4 that in question somewhat?

5 MR. FRANKEL: Objection. Calls for  
6 speculation.

7 THE COURT: Everyone? Really? Everyone in  
8 the world?

9 MS. GOLDMAN: I can withdraw. I'll withdraw  
10 that question, Your Honor.

11 THE COURT: Rephrase please. Thank you.

12 BY MS. GOLDMAN:

13 Q Dr. Strauch, your job -- how -- okay. Sorry.  
14 Dr. Strauch, you are responsible for monitoring these  
15 streams; correct?

16 A For monitoring the hydrology of these streams.

17 Q And in so doing, you are charged with  
18 determining whether it appears as though the IIFS is  
19 being followed or not; correct?

20 A I evaluate whether the IIFS is being met.

21 Q And on a stream with an H-90 flow, what is  
22 your understanding of how -- of how an IIFS would be met?

23 A It -- so the H-90 flow is the magnitude of  
24 flow. It's still a number that represents a flow rate.  
25 So cubic feet per day, a million gallons -- or cubic feet

1 per second, million gallons per day, for example. The  
2 IIFS is still a quantifiable value that can be determined  
3 by our monitoring. So we evaluate the data that we  
4 gather at our monitoring stations, whether the IIFS is  
5 being met, whether it's an H-90 flow or other.

6 Q Earlier you mentioned that you consult with  
7 other divisions of the BLNR with respect to the data you  
8 monitor and collect from the East Maui watershed;  
9 correct?

10 A Correct. So in our capacity we -- CWRM staff  
11 coordinate with other agencies. So in East Maui  
12 specifically, we coordinate with Division of Aquatic  
13 Resources to monitor stream biota. And 2008 to 2011, I  
14 believe, was the range of years in which the coordination  
15 occurred.

16 And then we coordinated with them to develop a  
17 follow-up study to understand whether H-90 was an  
18 effective method for restoring the ecological value of  
19 the stream. So I had mentioned that the Water Code  
20 defines specific instream values. And the ecological --  
21 the aquatic ecology is one of those -- or the habitat for  
22 freshwater fish is one of those instream values.

23 Q And again, you are charged with balancing  
24 those instream values? You personally, and you  
25 personally in your professional capacity developing an

1 IIFS; correct?

2 MR. FRANKEL: Objection. Vague, compound,  
3 call calls for a legal conclusion.

4 THE COURT: Sustained.

5 THE WITNESS: May I finish?

6 MS. GOLDMAN: Yes, please. Oh, I apologize.  
7 You may not answer the question I just asked. I believe  
8 I may have cut you off. If you would like to continue  
9 that.

10 THE COURT: No. Time out. That question was  
11 objected to, so it cannot be answered. You need to  
12 rephrase it. I sustained.

13 THE WITNESS: She cut me off about the  
14 previous.

15 THE COURT: Let's just start over. Ask a  
16 question. Thank you.

17 THE WITNESS: So I was talking about the  
18 Division of Aquatic Resources.

19 BY MS. GOLDMAN:

20 Q Okay. What -- you mentioned two different  
21 collaborations, studies between DAR and CWRM; is that  
22 right?

23 A Correct.

24 Q Okay. The second one -- the first one you  
25 gave us some years. What about the second one? When was



1 that taking place?

2 A So as part of the decision and order, the  
3 Water Commission requested, they don't have authority  
4 over the Division of Aquatic Resources. The Water  
5 Commission requested in their decision and order that the  
6 Commission staff work with the Division of Aquatic  
7 Resources to evaluate whether the magnitude of flow that  
8 supports 90 percent of the habitat -- that quantity  
9 actually does support the ecosystem values that it was  
10 modelled to support. So the H-90 concept is a  
11 mathematical formula that is -- was developed by USGS  
12 using actual data from East Maui streams and tested  
13 across I believe six different streams.

14 But it has never been put into practice. And  
15 that is what the Commission's decision and order in 2018  
16 when they established H-90 flows for two particular  
17 streams, Kopiliula and East Wailuaiki. They established  
18 fully restored streams in neighboring watersheds --  
19 Waiohue and West Wailuaiki -- in order to test the  
20 effectiveness of the H-90 flow.

21 So as part of my job, I am coordinating with  
22 the Division of Aquatic Resources to evaluate -- to  
23 gather data and to evaluate the effectiveness of that  
24 management decision.

25 Q When you say expected use of H-90 flow, what

1 do you mean by that?

2 MR. FRANKEL: Objection to the extent it's  
3 calling for expert opinion.

4 THE COURT: I think that question is just too  
5 general, Ms. Goldman. I don't understand it. Please  
6 rephrase. Thank you.

7 BY MS. GOLDMAN:

8 Q You testified that you and -- that CWRM and  
9 DAR are consulting on this in order to determine the  
10 effectiveness of the H-90 flow.

11 A So --

12 Q What did you mean by that?

13 A I --

14 THE COURT: Time out. Please stop. Stop.  
15 Stop. Okay? We really have to take this one step at a  
16 time, folks. You asked a question, he started to answer,  
17 and then you started another question. Part of it is  
18 because of the video delay. I get it. So let's all just  
19 slow down a little bit and make sure we go one, then the  
20 other, then the other, then the other. Okay? That will  
21 save us a lot of trouble. Okay. So the question -- the  
22 question was -- could you please scroll back to the  
23 question.

24 The question is: You testified that you  
25 and -- that CWRM and DAR are consulting on this in order

1 to determine the effectiveness of the H-90 flow. What  
2 did you mean by that? That was the question.

3 Mr. Frankel, go ahead.

4 MR. FRANKEL: And I'm going to object. It's  
5 calling for expert testimony.

6 THE COURT: Ms. Goldman?

7 MS. GOLDMAN: Your Honor, it's asking for  
8 clarification of something that this witness just  
9 testified to that is well within his personal knowledge  
10 and is something that he is required to do as part of his  
11 job.

12 THE COURT: Okay. Well, my problem with the  
13 question is again, it's very general. The consulting to  
14 determine the effectiveness of the H-90 flow -- what did  
15 you mean by that? What exactly do you mean? Are you  
16 talking about the way they're consulting? Are you  
17 talking about H-90 flow? What are we talking about?

18 MS. GOLDMAN: No, Your Honor. I was  
19 attempting to get the witness to clarify what he meant by  
20 effectiveness of the H-90 flow. That is what they are  
21 measuring. He personally is involved in measuring and  
22 determining that. And I would like him to explain what  
23 that means.

24 THE COURT: The problem is there's an expert  
25 testimony objection. And this clearly goes right into

1       that area that *Torres* talked about where it could rely on  
2       hearsay evidence. So you can ask him how he defines  
3       effectiveness as far as I'm concerned, but not how --  
4       there's some kind of group discussion with CWRM and DAR  
5       that reaches some kind of official conclusion about it.  
6       That would be an opinion. Okay?

7                 MR. FRANKEL: And Your Honor, he already has  
8       testified in terms of all of this is based on  
9       coordinating with the Division of Aquatic Resources. So  
10      he's already established that that is where the  
11      information is coming from. It's not -- this is -- there  
12      is a real --

13                THE COURT: Mr. Frankel, we are never going to  
14      finish this trial if every time I make a ruling we have  
15      another conversation. I made a ruling. It was in your  
16      favor in fact. So just be quiet for a minute and wait  
17      for the next question.

18                MR. FRANKEL: Sorry.

19                THE COURT: Okay. Go ahead, Ms. Goldman.

20      BY MS. GOLDMAN:

21                Q       And Dr. Strauch, you testified that you  
22      personally are involved in this study. What is your  
23      personal -- what is your individual role in this study?

24                A       So I am coordinating the outcomes that the  
25      Water Commission would like to see. And that includes

1 things like the occupancy of the stream by particular  
2 species as determined by various methods and the  
3 reproductive potential of the species that we are of  
4 interest that will occupy or should occupy the stream  
5 based on the model. And --

6 Q May I -- may I ask you to please clarify. You  
7 said that you were coordinating or consulting. What does  
8 that mean? I want to know what your personal individual  
9 role is please.

10 A So I helped determine or my personal role is  
11 to lead the determination of the outcomes we want from  
12 the study.

13 Q How do you determine the outcome?

14 MR. FRANKEL: Objection, Your Honor. Calling  
15 for expert testimony.

16 THE COURT: She's asking for the process. How  
17 does he? She hasn't asked him what is it yet.

18 MR. FRANKEL: I --

19 THE COURT: Overruled. Go ahead and answer,  
20 Doctor.

21 THE WITNESS: So the outcomes that we want to  
22 determine are, does the stream provide sufficient habitat  
23 to support a particular density of native biota or the  
24 presence or absence of particular species, the  
25 reproductive potential of the biota that do occupy it.

1           We want to determine if the stream is a source  
2   for sink population, for example, as well as how  
3   recruitment is being effected by the recruitment to the  
4   stream by juveniles is being effected by the H-90 flow  
5   versus a fully restored flow. There are things like the  
6   amount of habitat that is available that we're trying to  
7   determine, the quality of the habitat. These are things  
8   that we want to be determined.

9   BY MS. GOLDMAN:

10         Q         And --

11                 MR. FRANKEL: Your Honor, move to strike  
12   wherever he says "we." The question I think was supposed  
13   to be on personal, and his answer is about what we are  
14   doing.

15                 THE COURT: Objection overruled.

16                 MS. GOLDMAN: Absolutely --

17                 THE COURT: Overruled. Ask your next  
18   question.

19                 MS. GOLDMAN: Thank you.

20   BY MS. GOLDMAN:

21         Q         Why is that something -- in your -- as I  
22   understand it, why is that something you as a hydrologist  
23   are needed for? And what is your role in that?

24         A         I evaluate the available data and draw  
25   conclusions that the Commission then makes for

1 management. So it is my job to evaluate whether past  
2 Commission decisions are meeting the goals of the  
3 management decision, and that includes things like  
4 improving habitat for native biota, protecting judicial  
5 and customary practices. All the things that are defined  
6 as instream values is my role to evaluate the  
7 effectiveness of the management decision.

8 Q And you said that you evaluate the available  
9 data. Can you describe this data, what you're talking  
10 about?

11 MR. FRANKEL: Objection. Expert testimony.

12 THE COURT: Well, if we ever get there, I  
13 might agree with you. But I'm asking you to rephrase.  
14 That's just a very general question, Ms. Goldman. And  
15 we're in this situation -- we're all aware we're kind of  
16 walking in a minefield right now. It's going to help a  
17 lot if you ask targeted questions instead of general  
18 ones. So thank you.

19 BY MS. GOLDMAN:

20 Q Okay. So you just testified that you evaluate  
21 the available data. What form does that data take?

22 A It can be things like biological studies,  
23 hydrological studies, onsite testimony from key  
24 informants. It takes the form of quantitative and  
25 qualitative data.

1 Q What -- please elaborate on what -- how you  
2 evaluate that.

3 MR. FRANKEL: Objection. Form of the  
4 question.

5 THE COURT: Too vague. Rephrase. I don't  
6 even know what you mean by that.

7 BY MS. GOLDMAN:

8 Q Okay. Dr. Strauch.

9 THE COURT: Go ahead. Sorry.

10 MS. GOLDMAN: That's okay.

11 BY MS. GOLDMAN:

12 Q Dr. Strauch, you testified that you evaluate  
13 the available data. What's evaluate in that sentence?  
14 What do you mean?

15 MR. FRANKEL: Objection. Same objections,  
16 Your Honor.

17 THE COURT: Overruled. Again, right now she's  
18 just asking him about a process. It's not -- Doctor,  
19 she's not asking you to give any opinions at this point,  
20 so please do not offer those. Thank you. Go ahead.

21 THE WITNESS: So in some ways or depending on  
22 the location or the management decision, I will do a  
23 field site inspection. I will gather data in the field.  
24 I will take measurements. I will do a survey. In other  
25 situations, I will interview people on the ground. I



1 will meet with the community.

2 Is that sufficient to answer?

3 BY MS. GOLDMAN:

4 Q Yes. Thank you, Dr. Strauch. So you take  
5 measurements. What are you measuring?

6 A It depends on the data. So sometimes I'm  
7 measuring the width of the stream, the depths of water,  
8 the velocity through it, the substrate in it, the  
9 occupancy of a particular species, the temperature or  
10 water quality parameters.

11 Q And what is your personal understanding of why  
12 you are collecting that data?

13 A Because --

14 Q I'm sorry. I'll withdraw that. What is your  
15 personal understanding of what that data measures?

16 A That data tells us what is happening in the  
17 stream.

18 Q Please tell us.

19 A Right. The -- lack of better analogy, it's  
20 the boots on the ground. There is only so much you can  
21 gather from historic records, but we try and conduct as  
22 much field work as possible. I personally lead the  
23 majority of the field work that gather these types of  
24 data that relate to instream values. And that data then  
25 gets communicated within the Commission and to the

1 Commission.

2 Q What forms does that field work take?

3 A I don't know what you mean by forms.

4 Q You said that you personally lead the field  
5 work. Can you give us an example of -- of --

6 A Much of the time I am the one doing the actual  
7 data collection, doing the field work, whether it is  
8 getting in the stream or meeting with people,  
9 stakeholders, that sort of thing. Occasionally or in the  
10 past we have -- the Water Commission has collaborated  
11 with other entities and Water Commission staff, including  
12 myself, conduct site visits to gather data.

13 Prior to my employment with the Water  
14 Commission, I would -- more academic studies of these  
15 streams in which we gathered habitat, data, hydrological  
16 data, that sort of thing. Biological data.

17 Q Thank you, Dr. Strauch. I'm not going to wade  
18 into that minefield quite yet. Can you describe for us a  
19 site visit for this purpose? Just describe a site visit  
20 for this purpose.

21 A It can be as simple as getting out of a car,  
22 walking down or hiking to the stream, using equipment in  
23 the stream, snorkel surveying in the stream, taking  
24 measurements at the stream. It might be more cumbersome  
25 if the access is not so straightforward. It might

1       involve much lengthy hiking and collaboration for access.

2           Q       Okay.

3           A       Worst case scenario involves a helicopter and  
4       a lot more in terms of emergency preparedness. The --  
5       some of these sites are extremely remote and dangerous to  
6       get to. So the -- what we do there is dependent on the  
7       question we're trying to answer and the data that we're  
8       trying to collect.

9           Q       And how is the data that you are trying to  
10       collect stored?

11          A       We have various state servers. We publish  
12       data on our website. We are working on a geoportal. I  
13       think I alluded to that. Some of the data gets -- so  
14       there's a biological database that the Division of  
15       Aquatic Resources maintains that we submit data to.

16          Q       Do you submit data to it personally?

17          A       Yes. Sorry.

18          Q       Please phrase the rest of your answers in  
19       those terms.

20          A       I submit the data. I publish the data through  
21       peer review journals. We -- I produce what we call fact  
22       sheets, which are summaries of information. I  
23       communicate data to the Commission.

24          Q       How do you -- how do you summarize this  
25       information?

1           A           Using statistics. Do you want me to  
2 elaborate?

3           Q           Yes.

4           A           I mean, I can teach a course in Statistics if  
5 you want.

6           Q           Not a course in Statistics. Thank you,  
7 Dr. Strauch. I'm just trying to get a sense of what  
8 exactly this entails. Your --

9           A           Some of the data require a QA/QC, quality  
10 control, quality assurance. Some of the data require  
11 more elaborate statistical processing. We -- I looked at  
12 body condition health of a species, for example. And  
13 body condition requires various dimensional measurements  
14 of the animal, of the organism. And those actually have  
15 to be converted based on our structure of the animal to a  
16 biomass, a wet weight biomass. So that is a process that  
17 happens within a spreadsheet.

18                    The density of an individual in a point survey  
19 can be converted to a stream density based on the area of  
20 known habitat that we -- that I measure while at the site  
21 and then can be or is modelled based on the hydrological  
22 data available.

23           Q           Okay. Dr. Strauch, you said that you measure  
24 the area of known habitat when you are at the site. How  
25 do you do that?

1 MR. FRANKEL: Objection, Your Honor. We're  
2 getting expert testimony.

3 THE COURT: She's just asking him how he  
4 measures, so --

5 MR. FRANKEL: I --

6 THE COURT: I don't think that calls for  
7 expert testimony.

8 MR. FRANKEL: Well, you'll see what's about to  
9 happen.

10 THE COURT: I have a pretty good idea what's  
11 going to happen, but there's nothing I can do about that  
12 right now.

13 Go ahead and answer.

14 THE WITNESS: So for a particular reach, I  
15 will measure the width of the reach at multiple  
16 locations, the depth of flow across that width at  
17 multiple locations, the velocity of flow across that  
18 width at multiple locations, and the substrate, the  
19 proportion of substrate occupied in that width at  
20 multiple locations.

21 BY MS. GOLDMAN:

22 Q Okay. And how does that tell you the area of  
23 known habitat, which is what you just testified that you  
24 are measuring?

25 MR. FRANKEL: Objection. That's calling for

1 expert opinion.

2 THE COURT: Sustained.

3 BY MS. GOLDMAN:

4 Q Have you personally ever done a survey of  
5 aquatic biota in the East Maui watershed?

6 A Yes.

7 Q Have you personally done that since you have  
8 been employed with CWRM?

9 A Yes. So I --

10 Q Please tell us about --

11 A -- surveyed a number of East Maui streams in  
12 2017 as a follow-up to prior surveys. And I quantified  
13 the number of individual species in known transects and  
14 they then quantified the amount of habitat for those  
15 species in those same transects or in those same reaches.

16 Q How do you -- you said you quantified the  
17 amount of habitat?

18 A Yes.

19 Q How do you quantify that?

20 MR. FRANKEL: Objection, Your Honor. Expert  
21 testimony and/or irrelevant.

22 THE COURT: I mean, this is just so general,  
23 Ms. Goldman. I mean, I don't even know if we're talking  
24 about -- I assume we're talking about East Maui, but I  
25 have no idea from the question. Sustained.

1 BY MS. GOLDMAN:

2 Q Okay. Mr. Strauch, you just testified that  
3 you quantify habitat; correct?

4 A Yes.

5 Q What unit do you measure it in?

6 MR. FRANKEL: Objection, Your Honor. This  
7 is -- this really is expert testimony.

8 THE COURT: Okay. We're -- the objection is  
9 sustained.

10 We've been going quite a while, so we're going  
11 to take our last -- maybe not our last, but we're going  
12 to take our next break. It's a couple minutes before  
13 3:00. We're going to break until 3:10. And I'm going to  
14 ask counsel, Ms. Goldman, Mr. Wynhoff, to give some real  
15 thought to where this is going. 'Cause if he's not going  
16 to be allowed to give the ultimate opinions, I'm really  
17 not sure why we're spending so much time building a  
18 foundation to give the opinion.

19 MS. GOLDMAN: Your Honor, if I may --

20 THE COURT: You may.

21 MS. GOLDMAN: -- it will become very clear to  
22 you why this is relevant and going somewhere.

23 THE COURT: Okay. I'm being patient.

24 Mr. Frankel, go ahead.

25 MR. FRANKEL: I would like to request that you

1       instruct counsel, I'm sorry, not to be talking to the  
2       witness at all in this break. I'm sorry.

3               MS. GOLDMAN: I just offered that.

4               THE COURT: I am going to rely on counsel's  
5       professionalism. If you decide you want to dig into that  
6       with the witness, you can.

7               One thing. Mr. Frankel, I wanted to apologize  
8       to you for saying be quiet. That was not -- that was  
9       intemperate of me. I should have just said, you know,  
10      wait for the next question. So I apologize. And I won't  
11      do that again.

12              Okay. We're in recess till 3:10. Thank you.

13              (A recess was taken.)

14              THE COURT: All right. Back on record. FTR  
15      on?

16              THE CLERK: Yes, it is, Judge.

17              THE COURT: All right. Ms. Goldman.

18      BY MS. GOLDMAN:

19              Q        Dr. Strauch, did you testify before the BLNR  
20      or Board of Land and Natural Resources at its meeting on  
21      November 9, 2018?

22              A        I did.

23              Q        What was the nature of that testimony?

24              A        The Board asked me to provide information  
25      about the 2018 Commission decision and order regarding



1 the East Maui streams.

2 Q Did you offer an opinion to the Board at any  
3 time during that testimony?

4 A I would have to refer to my testimony. I  
5 don't --

6 Q That's okay. Okay. So would it refresh your  
7 recollection if you could have a chance to look at it  
8 briefly?

9 MR. FRANKEL: Objection, Your Honor. The  
10 testimony is already in evidence with the transcript.

11 THE COURT: Yeah. Well, if we already have  
12 the transcript, why do we want his recollection of what  
13 it was? I mean, no offense, Dr. Strauch. It's just an  
14 evidentiary thing. Nothing personal.

15 MS. GOLDMAN: Your Honor, this witness  
16 testified that the 27 streams in the licensed area were  
17 the most important. I would like to explain that he told  
18 the Board that.

19 For the record, it does not matter whether or  
20 not -- I'm not offering any of this for the truth of the  
21 statement. I am offering this for what the Board was on  
22 notice of, just on the listener. So it is not going to  
23 come within hearsay.

24 THE COURT: That will be established by the  
25 transcript. Objection is sustained.

1 BY MS. GOLDMAN:

2 Q Okay. During the -- that meeting, do you  
3 remember if -- do you -- were you asked about the streams  
4 for which new IIFS was not set in 2018?

5 MR. FRANKEL: Objection. Cumulative. The  
6 transcript's in the record.

7 THE COURT: Sustained. Ms. Goldman, just to  
8 save time, if you're going to ask him about anything in  
9 the transcript, I'm going to sustain the objection. If  
10 he said something to the Board that's not in the  
11 transcript, then we can have a separate discussion about  
12 that. But --

13 MS. GOLDMAN: Your Honor, I would like to ask  
14 the witness what he meant by a specific statement that he  
15 said that is in the transcript. I'm unsure of how to  
16 bring that into this conversation without either  
17 refreshing the witness's recollection as to what he said  
18 or reading a line that's already in evidence.

19 THE COURT: What's the relevance of what he  
20 meant? Doesn't it matter what he said to the Board?

21 MS. GOLDMAN: Your Honor, I don't believe so.  
22 I believe this entire case is premised on the idea that  
23 the Board somehow did not collect enough information or  
24 ask enough questions. I think it is entirely relevant to  
25 show what kinds of opinions were conveyed to the Board

1 and to demonstrate why the Board may have found them to  
2 be credible.

3 THE COURT: Mr. Frankel?

4 MR. FRANKEL: The fact the information was  
5 conveyed to the Board is already in evidence. The reason  
6 why it was conveyed is not relevant to this case. It's  
7 the Board's decision, and that is reflected in the  
8 minutes and in the transcript. So objection.

9 THE COURT: Objection is sustained,  
10 Ms. Goldman. If you're asking him to testify about what  
11 he meant, I'm finding -- I find that that's interesting  
12 but irrelevant. What matters is what the Board heard and  
13 what the Board did. The objection is sustained.

14 MS. GOLDMAN: Respectfully, Your Honor, may I  
15 have just a moment to confer with my co counsel?

16 THE COURT: Yes, you may. That's fine. We're  
17 off record.

18 (A recess was taken.)

19 THE COURT: Back on record. FTR on?

20 THE CLERK: Yes.

21 THE COURT: Thank you. Ms. Goldman, go ahead.

22 BY MS. GOLDMAN:

23 Q Dr. Strauch, did you personally make a  
24 determination regarding whether the 13 streams we've  
25 spoken about today as the 13 streams are important?

1           MR. FRANKEL:  Objection, Your Honor.  Calling  
2   for expert opinion.

3           MS. GOLDMAN:  I'm asking within the witness's  
4   personal capacity.  What did he do.

5           THE COURT:  Well, the question is, are they  
6   important.  I don't understand what you mean by that, so  
7   you're going to need to rephrase.

8           MS. GOLDMAN:  The question was whether --

9           THE COURT:  All streams are important.  I  
10   think everybody in this room would agree on that.  So --  
11   I'm not sure that's what you were trying to get.  Some  
12   streams are more important than others, but they're all  
13   important.

14   BY MS. GOLDMAN:

15         Q         Did you make a determination as to the  
16   relative importance of these 13 streams personally?  Do  
17   you personally --

18           MR. FRANKEL:  Objection.  It's calling for  
19   expert opinion.  I suppose it was just a yes-or-no  
20   answer.  But beyond that, it's an expert opinion.

21           THE COURT:  I'm going to allow it, but I'm not  
22   sure how much farther it's going to go.  You may answer  
23   yes or no.

24           THE WITNESS:  Yes.

25   BY MS. GOLDMAN:

1           Q           What work did you personally do to make that  
2           determination?

3           A           In my capacity as the Instream Use Protection  
4           Section chief or head, I determine the prioritization of  
5           determining interim instream flow standards for the State  
6           of Hawaii. And that prioritization is based on other  
7           literature such as the Hawaii Stream Assessment that  
8           determines candidate streams for protection. So we  
9           prior -- I prioritize for the Commission the next stream  
10          that I want to -- that I will establish an interim  
11          instream flow standard for based on the relevant data.

12                   THE COURT: She was muted.

13          BY MS. GOLDMAN:

14          Q           Did you tell that information to the Board?

15                   MR. FRANKEL: Objection. Cumulative.

16          Transcript's in.

17                   THE COURT: If you're talking about the Board  
18          meeting, sustained. And when I say the Board meeting, I  
19          mean the one that we already have the transcript for.

20                   MS. GOLDMAN: Sure.

21          BY MS. GOLDMAN:

22          Q           Okay. I would to call your attention to an  
23          exhibit that has already been entered into evidence.  
24          That would be Trial Exhibit 58.

25                   THE COURT: Is that Plaintiff's 5-8?

1 MS. GOLDMAN: Yes, Your Honor.

2 THE COURT: Thank you.

3 MR. FRANKEL: I'm sorry. Sierra Club's  
4 Exhibit 58?

5 MS. GOLDMAN: Yes.

6 THE COURT: I've got it. Dr. Strauch, do you  
7 have it there?

8 THE WITNESS: Is it a photo?

9 THE COURT: Yes.

10 THE WITNESS: Okay. Yeah.

11 BY MS. GOLDMAN:

12 Q Okay.

13 A 58. Hoolawa nui.

14 THE COURT: Yes.

15 BY MS. GOLDMAN:

16 Q What is this a photo of, or do you recognize  
17 the photo, Dr. Strauch?

18 A Yes. It is a photo of a ditch with a pipe  
19 coming into a stilling well on the left-hand side.

20 Q Okay. It was testified in this case that this  
21 is a photograph of Hoolawa Stream. Is that correct to  
22 your knowledge?

23 A No.

24 MR. FRANKEL: Objection in terms of reciting  
25 prior testimony to this witness.

1                   THE COURT: Yeah. That's usually not how we  
2 like to do things, but I know you're just trying to  
3 transition him to it. We have his answer no.

4 BY MS. GOLDMAN:

5           Q           How can you tell that this is a ditch?

6           A           Because it has -- it's a -- well, it's not  
7 Hoolawa Stream because Hoolawa Stream is a wide -- has  
8 much wider widths and the banks are not shaped in this  
9 way. And this is a stilling well on the left-hand side  
10 of the photo, which would not have been built into a  
11 stream in this manner. This is not a stream stilling  
12 well. This is a ditch stilling well.

13          Q           What about this photograph tells you that it's  
14 a stilling well?

15          A           It is a concrete cylinder approximately 5 feet  
16 tall, maybe 6 feet tall. There is a wooden -- the base  
17 of a wooden box, which would have housed the monitoring  
18 equipment for the gaging station. And then the pipe  
19 enters the stilling well at the base, which provides for  
20 the water.

21          Q           Thank you. Let's talk now about Trial Exhibit  
22 54. That's Plaintiff's Exhibit 54, which is also a  
23 photograph that was entered into evidence.

24          A           Okay. It's another --

25          Q           Do you recognize what is depicted in this

1 photograph?

2 A This is the Waialoa ditch intake on Hoolawa  
3 nui.

4 Q How do you know?

5 A Because I've been there many times.

6 Q Which direction are we looking?

7 A Up stream. Do you need the cardinal  
8 direction? Okay.

9 Q Which direction is the water flowing in the  
10 photo?

11 A From -- it's kind of making an S shape. But  
12 if you trace it up from the grate, it moves slightly up  
13 and then to the right and then to the left. So it's --  
14 if you start at the top left of the photograph and you go  
15 across at like a 30 degree angle to about the halfway  
16 point, and then you zag back to the center -- I don't  
17 know how to best describe this visually, but it's making  
18 like an S shape.

19 Q Okay. Is this -- in your experience, is this  
20 what this diversion at the Waialoa Ditch on Hoolawa nui  
21 looks like usually?

22 A When you say usually, during low flow or base  
23 flow conditions, yes.

24 Q Does it always look like this?

25 A No. During high flow conditions, water will



1 overflow as well as the wing walls that you see -- the  
2 concrete walls that you see. The water will flow down to  
3 the left or from left to right and over the intake.

4 You don't see it from this photograph, but in  
5 the bottom right-hand corner would be kind of a 120-foot  
6 drop.

7 Q Okay. Let's -- actually before we turn to the  
8 next photo, regarding the appearance of the water in this  
9 photo, is this typically what the water looks like at  
10 this diversion on this stream?

11 A Each of the times I've visited the stream, the  
12 water's appeared turbid or cloudy, which is a condition  
13 of this stream. Yes, this is typical.

14 Q To your knowledge as the individual charged  
15 with monitoring and maintaining diversions, is this  
16 stream diverted farther mauka of here within that --  
17 (inaudible) -- area. I will clarify.

18 A I will clarify that I don't -- I'm not charged  
19 with maintaining the diversion but with documenting it.  
20 There are no other East Maui Irrigation diversions within  
21 the licensed area mauka of this one.

22 Q Okay. Well, let's turn to Trial Exhibit 55  
23 please. Plaintiff's 55.

24 A Okay.

25 Q Okay. Do you recognize this photo?

1           A           This is almost the same location, just a  
2           different direction of the photo from the previous --  
3           from 54.

4           Q           Can you explain where we're looking in  
5           relation to that previous photo?

6           A           We're looking makai. Do you want the cardinal  
7           direction?

8           Q           No. Thank you. Makai is fine. What -- what  
9           is -- what is this structure made of? What is this  
10          structure, what we're looking at?

11          A           We're looking at a control gate, wrought iron,  
12          I think, that is used to lift up and release water and  
13          sluice material that gets caught in the diversion.

14          Q           And is this -- is the pool of water that's  
15          below -- is that also Hoolawa nui Stream?

16          A           Yes.

17          Q           Is this a waterfall or -- it looks like a  
18          different elevation. Can you just explain?

19          A           Like I said earlier, we -- the photograph is  
20          taken at the top of about a 100-foot waterfall. We are  
21          now looking down the stream over the lip of the  
22          waterfall. So the pool -- the plunge flow at the base of  
23          the waterfall, yes, is at a different elevation.

24          Q           Is this waterfall -- to your knowledge, is  
25          this waterfall naturally occurring or is it something

1 that this diversion structure created?

2 MR. FRANKEL: Objection. Calls for  
3 speculation, expert opinion.

4 THE COURT: I think if you can answer based on  
5 your own personal observations and knowledge, you can  
6 answer. But if you have to rely on some textbook or  
7 study that you've read from somewhere else, then no.  
8 Thank you. Go ahead.

9 THE WITNESS: So the -- I know that the  
10 Waialoa Ditch was dug at a specific elevation above -- in  
11 most cases above the -- it's called the knickpoint in the  
12 stream channel where the watershed is being eroded. And  
13 this knickpoint is developed by the geology or is a  
14 factor of the geology. So this would have been a  
15 naturally occurring waterfall that the ditch diversion  
16 was built into.

17 BY MS. GOLDMAN:

18 Q And from your own observations, this naturally  
19 occurring waterfall, can you describe it more? Like the  
20 size and that sort of thing?

21 A It's about -- I would say about 100 feet tall.  
22 It's made of basalt, like the bedrock. It's -- the width  
23 of the stream at the top where the water is flowing would  
24 be flowing over it if the diversion was not there. It's  
25 about 6 to 10 feet wide. The plunge flow itself is about

1 120 feet wide, give or take.

2 Is that a sufficient description?

3 Q Yes, Dr. Strauch. Thank you.

4 Do you see an overhanging lip in this photo?

5 A No. You can't determine that from this photo.

6 Q Have you observed an overhanging lip in  
7 person?

8 A Yes.

9 Q What's an overhanging lip?

10 A So a lot of the stream courses in young lava  
11 flows in East Maui and on the Big Island, but in East  
12 Maui in particular, which is a little bit older in  
13 geologic age -- the stream courses have eroded through  
14 former lava tubes, areas that are more easily eroded.

15 And in the development of the stream channel  
16 at places like where you see a plunge pool form is from  
17 the underlying geology that the lava tube in its  
18 formation is obviously different from the formation of  
19 the stream channel. And the lava tube conveyed lava and  
20 -- or magma and in that development, it created in some  
21 instances what are called overhanging lips. So it's  
22 basically basalt that has hardened, and as the stream  
23 channel is formed through these string courses, the  
24 overhang lip remains.

25 MR. FRANKEL: Move to strike, Your Honor.

1 Nonresponsive and expert opinion.

2 THE COURT: Overruled.

3 BY MS. GOLDMAN:

4 Q Looking down -- oh, are we looking makai in  
5 this photo?

6 THE COURT: Yes. He already testified to  
7 that.

8 MS. GOLDMAN: Thank you.

9 BY MS. GOLDMAN:

10 Q What stands out to you in this photo?

11 A Two things. The substrate is primarily sharp  
12 basalt and boulder. And by sharp, I mean rough edges and  
13 jagged, not smooth.

14 And then second is that the riparian  
15 vegetation is dominated by nonnative species, primarily  
16 strawberry guava.

17 Q I would have said it looks dry like looking  
18 makai. Does it look that way to you?

19 A The stream bank or the stream channel? The  
20 stream channel is -- up to the plunge pool is full of  
21 water. And then downstream of the plunge pool, the  
22 stream channel looks dry.

23 Q To your knowledge, does this stream channel  
24 have any other diversions on it?

25 A It does.

1 Q Makai?

2 A It does at three more locations. No. Four  
3 more locations. Sorry.

4 Q In your experience out in the field, would you  
5 expect that this stream channel would be dry at all of  
6 those makai diversions up here under these conditions?

7 MR. FRANKEL: Objection. Speculation.

8 THE COURT: You mean under the conditions  
9 we're seeing in this photograph with this stream? Would  
10 he expect the three or four diversions downstream to all  
11 be dry? Is that the question?

12 MS. GOLDMAN: (Ms. Goldman nodded.)

13 THE COURT: All right. You can answer that,  
14 if you know.

15 THE WITNESS: So I have been there during such  
16 conditions, during very low flows. And the stream  
17 channel is a gaining stream. So there is water diverted  
18 at lower elevations within the stream channel. So  
19 there's flow that is diverted.

20 BY MS. GOLDMAN:

21 Q You noted a few moments ago that the water  
22 appeared cloudy. What does that mean to you?

23 MR. FRANKEL: Objection. Irrelevant, vague.

24 THE COURT: Well, he's a hydrologist. I'm  
25 going to allow it. Overruled.

1 THE WITNESS: So cloudy water, meaning high  
2 turbidity, impacts the quality of the habitat. So cloudy  
3 water prevents the growth of algae, which is a primary  
4 food source.

5 BY MS. GOLDMAN:

6 Q Thank you. I just wanted to stop you there  
7 and make sure that you're telling us what you -- what  
8 your understanding is of why you think cloudiness is  
9 significant in some way.

10 A So I've spent a lot of time studying water  
11 quality. And I have seen that cloudy water prohibits the  
12 growth of algae, which is the primary food source in  
13 aquatic ecosystems.

14 Q Let's turn to Trial Exhibit 56 please, if you  
15 will. It was also received into evidence in this trial.  
16 Do you have it up, Dr. Strauch?

17 A Yes.

18 Q Do you recognize this photo?

19 A Yes.

20 Q How do you recognize it?

21 A I've been to that location and seen that pipe.

22 Q And where is this location?

23 A It's on Hoolawa nui above the Waialoa Ditch  
24 intake.

25 Q And what is this pipe?

1           A           It's a pipe that conveys spring flow slightly  
2 upslope from the stream channel down to the stream  
3 channel so that it may be diverted at the Waiialoa Ditch  
4 intake.

5           Q           To your knowledge, is this pipe related to one  
6 of A&B or EMI's diversions of this stream?

7           A           Yes. As part of the system to divert water,  
8 there are many minor diversions or small-seep-type  
9 diversions which contributed to the overall amount of  
10 water that could be diverted.

11          Q           In your opinion, Dr. Strauch, is this pipe  
12 garbage?

13                   MR. FRANKEL: Objection, Your Honor.

14                   THE COURT: I think what she -- I think what  
15 Ms. Goldman is really asking is, is this still  
16 functional.

17                   MR. FRANKEL: That would be a better question.

18                   MS. GOLDMAN: I would withdraw that question.

19                   And would you please answer the judge's question,  
20 Dr. Strauch?

21                   THE WITNESS: If it is still connected to the  
22 spring and if water is flowing through it, then it is  
23 still operating as a diversion.

24                   BY MS. GOLDMAN:

25          Q           To your knowledge, are those things true?



1           A           Yes.

2                   THE COURT:  There might have been some  
3    confusion there, Doctor.  I believe what Ms. Goldman was  
4    asking was do you know if this pipe is still working.

5                   THE WITNESS:  I -- last time I visited this  
6    pipe, there was still water flowing in it, which was two  
7    weeks ago or so.

8                   THE COURT:  Okay.

9    BY MS. GOLDMAN:

10           Q           Let's look at Trial Exhibit 59 please,  
11    Dr. Strauch.  Let me know when you have it up.

12           A           Okay.

13           Q           Mr. Strauch, have you seen this photograph  
14    before?

15           A           I have.

16           Q           Dr. Strauch.

17           A           Yes.

18           Q           When or how have you seen it?

19           A           I -- I have been to this part of the stream.

20           Q           Have I also showed you this photo?

21           A           Yes.  That too.

22           Q           And when I showed you this photo, are you able  
23    to tell where this photo is taken?

24           A           From only the information in the photo?  No.

25           Q           And from a hydrologist's point of view, from

1 your personal point of view, does this photo appear to  
2 contain trash?

3 A So I can't see the entirety of the setting, so  
4 it would be difficult to determine if these items are  
5 connected to anything else, if they are a part of a  
6 structure. From just what's in this photograph, no.

7 Q Can you turn the page -- or, I'm sorry. The  
8 next exhibit please, Dr. Strauch. Trial Exhibit 60.  
9 What do you notice about this photo?

10 A There is water and either a pool or a run  
11 of -- appears to be a stream. Appears to be a bank of a  
12 stream. This is a strawberry guava root and a piece of  
13 wrought iron through it or on it, some sort.

14 Q Are you able to tell from this photo alone  
15 whether this is trash?

16 A It's hard to determine because you can't tell  
17 the entirety of the setting. So -- no.

18 Q Do you have -- when you visit various  
19 diversion structures along a stream, how do you get from  
20 one diversion structure to another?

21 A Either hiking or driving or helicopter.

22 Q In those instances when you are hiking, do you  
23 hike along trails?

24 A Sometimes.

25 Q Would you say that you have hiked probably --

1       how many trails in that East Maui watershed would you say  
2       you hiked on?

3           A           So some of the trails are not official trails,  
4       they're not part of the Na Ala Hele trail system.  Some  
5       of 'em are pig hunting trails.  Some of 'em we build  
6       ourselves.  So I'm not sure how to quantify the number of  
7       trails.

8           Q           Okay.  Have you hiked alongside of Hoolawa  
9       Stream?

10          A           Yes.

11          Q           How many times have you hiked there?

12          A           I mean, maybe six or eight times.

13          Q           On those hikes, have you observed trash on the  
14       trails?

15          A           On the trails?  No.

16          Q           What's a terminal waterfall?

17          A           It's the point of which a stream channel  
18       discharges into the ocean over a waterfall.  So the  
19       terminal point of a stream that ends at a waterfall.

20          Q           Of the 13 streams that did not have IIFS --  
21       new IIFS specs for them in 2018, do any of them have  
22       terminal waterfalls?

23          A           Yes.  Kolea has a terminal waterfall,  
24       Nailiilihaele has a terminal waterfall, Kailua has a  
25       terminal waterfall, and Waipio has a terminal waterfall.

1 THE COURT: What was the second one?

2 THE WITNESS: Nailiilihaele.

3 THE COURT: Spell please.

4 THE WITNESS: N-a-i-l-i-i-l-i-i-l-i-i-h-a-l-e  
5 -- I believe it was previously spelled correctly. I'm  
6 not sure if I spelled it correctly. Sorry.

7 THE COURT: That's a good out.

8 BY MS. GOLDMAN:

9 Q Okay. So how do you know -- so let's go  
10 through them then. You said Kolea and Nailiilihaele.  
11 What was the third?

12 A Kailua.

13 Q And what was the fourth?

14 A Waipio.

15 Q Okay. How do you know that Kolea has a  
16 terminal waterfall?

17 A Because it was based on the topographic map,  
18 based on recognizance or field work as it conducted  
19 previously, and based on our waterfall's database.

20 Q Have you seen it?

21 A No, I have not seen the terminal waterfall on  
22 Kolea.

23 Q What about Nailiilihaele? How do you know  
24 there's a terminal waterfall there?

25 A Based on the previous information that I

1 cited.

2 Q What other -- is the presence of a terminal  
3 waterfall -- does that mean something to you?

4 MR. FRANKEL: Objection. Vague. Looking for  
5 expert opinion.

6 THE COURT: Sustained.

7 MS. GOLDMAN: Your Honor, how long -- how much  
8 longer should I be planning that we're going today?

9 THE COURT: If you're wondering if this would  
10 be a good time to end, the answer would be yes.

11 MS. GOLDMAN: Okay.

12 THE COURT: It's 4 o'clock. All right. So  
13 let's do some planning with Dr. Strauch about next week.  
14 'Cause we still have Chair Case to come back. We're  
15 obviously not pau with Dr. Strauch. We may or may not be  
16 done with Mr. Higashi. Is there anyone else? Is Mr. Gon  
17 going to testify? 'Cause his name was mentioned before,  
18 but I haven't heard it lately.

19 Yes, Mr. Wynhoff?

20 MR. WYNHOFF: So Your Honor, let me start off  
21 with some good news and then we'll go to what may or may  
22 not be the bad news. We're not going to call Mr. Gon.

23 THE COURT: Okay.

24 MR. WYNHOFF: We are not going to call  
25 Mr. Higashi to come back. Mr. Schulmeister has very

1 STATE OF HAWAII )  
 )  
 2 )  
 )  
 3 CITY AND COUNTY OF HONOLULU )  
 )  
 4 )  
 )  
 5 \_\_\_\_\_ )

6  
7

8 I, JAMIE S. MIYASATO, an Official Court  
 9 Reporter for the First Circuit Court, State of Hawaii, do  
 10 hereby certify that the foregoing comprises a full, true,  
 11 and correct transcription of my stenographic notes taken  
 12 in the above-entitled matter, so transcribed by me to the  
 13 best of my ability.

14 Dated this 14th day of August 2020.

15  
16  
17

18 /s/ Jamie S. Miyasato

19

\_\_\_\_\_  
 JAMIE S. MIYASATO, CSR #394

20  
21  
22  
23  
24

25 sierra club/081420

1

IN THE CIRCUIT COURT OF THE FIRST CIRCUIT

2

STATE OF HAWAI'I

3

4

\_\_\_\_\_ )

5

SIERRA CLUB, )

Case No. 1CC191000019

6

Plaintiff, )

7

vs. )

8

BOARD OF LAND AND NATURAL )

RESOURCES, DEPARTMENT OF )

9

LAND AND NATURAL RESOURCES, )

SUZANNE CASE in her )

10

official capacity as )

Chairperson of the Board )

11

Land and Natural Resources, )

ALEXANDER AND BALDWIN, )

12

INC., EAST MAUI IRRIGATION )

COMPANY, LLC and COUNTY OF )

13

MAUI, )

14

Defendants. )

\_\_\_\_\_ )

15

16

17

TRANSCRIPT OF WEBEX PROCEEDINGS had before the

18

Honorable Jeffrey Crabtree, Judge Presiding, on Monday,

19

August 17, 2020, for Further Jury-Waived Trial -

20

Morning Session Only.

21

22

23

REPORTED BY:

24

A. HAUNANI HO, RPR, CSR 372

OFFICIAL COURT REPORTER

FIRST CIRCUIT COURT

25

STATE OF HAWAI'I

1 APPEARANCES OF COUNSEL:

2 DAVID KIMO FRANKEL, ESQ.  
3 Attorney for Plaintiff  
4 SIERRA CLUB

4 DAVID SCHULMEISTER, ESQ.  
5 TRISHA H. S. T. AKAGI, ESQ.  
6 Attorneys for Defendants  
7 ALEXANDER & BALDWIN, INC. and  
8 EAST MAUI IRRIGATION COMPANY, LLC

8 CALEB ROWE, ESQ.  
9 DEPUTY CORPORATION COUNSEL  
10 Attorney for Defendant  
11 COUNTY OF MAUI

11 WILLIAM WYNHOFF, ESQ.  
12 MELISSA GOLDMAN, ESQ.  
13 DEPUTIES ATTORNEY GENERAL  
14 Attorneys for Defendant  
15 BOARD OF LAND AND NATURAL  
16 RESOURCES, DEPARTMENT OF  
17 LAND AND NATURAL RESOURCES

15

16

17

18

19

20

21

22

23

24

25



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

<u>WITNESS</u>	<u>PAGE</u>
AYRON STRAUCH	
Direct Examination by Ms. Goldman . . . . .	6
Cross Examination by Mr. Schulmeister . . . . .	39
Cross Examination by Mr. Rowe . . . . .	64
Cross Examination by Mr. Frankel . . . . .	65
Redirect Examination by Ms. Goldman . . . . .	96
Recross Examination by Mr. Frankel . . . . .	99

E X H I B I T S

<u>DEFENDANT A&amp;B' S EXHIBIT</u>	
No. AB-164 received into evidence . . . . .	53

1 that the plan?

2 I see head-nodding from Ms. Goldman.

3 MS. GOLDMAN: Yes, Your Honor.

4 THE COURT: Okay. Everybody ready to go?

5 All right. Thank you.

6 Mr. Strauch, welcome back. Good morning.

7 THE WITNESS: Good morning, Your Honor.

8 THE COURT: All right. I'll remind you that  
9 you're still under oath. We don't need to swear you in  
10 again. And please continue doing what you were doing  
11 last week in terms of speaking directly and clearly and  
12 spelling the more complicated Hawaiian names as we go  
13 along. Thank you very much.

14 Ms. Goldman.

15 AYRON STRAUCH,

16 called as a witness by the State,

17 having been previously sworn was

18 examined and testified as follows:

19 DIRECT EXAMINATION (Resumed)

20 BY MS. GOLDMAN:

21 Q Dr. Strauch, how many streams would you  
22 estimate are in the State of Hawai'i?

23 A We have 376 perennial named streams that  
24 are flowing to the ocean. That doesn't include all of  
25 their respective tributaries whether they are named or

1 not named.

2 Q And how many of those 376 perennial  
3 streams are still subject to their status quo IIFS from  
4 the 1980s?

5 A About 320 of them, 324, something like  
6 that.

7 Q And has anyone -- have there been  
8 petitions filed with respect to those approximately 320  
9 streams?

10 A We have one pending petition relating to  
11 streams on the island of Moloka'i and the current  
12 petition alleging waste and instre -- you know,  
13 mismanagement of instream flow standards in West Maui.  
14 But we've addressed some of the issues in that petition  
15 already, but that's a separate issue.

16 Q Okay. I call your attention to last week  
17 when we previously spoke and we talked about your -- we  
18 talked about your personal experience at each of the  
19 streams. I just wanted to clarify a few things about  
20 that.

21 With respect to Honopou Stream, H-O-N-O-P-O-U,  
22 had you visited there before November 2018?

23 A Yes.

24 Q How about Ho'olawa? Have you visited  
25 Ho'olawa, H-O-O-L-A-W-A, before November 2018?

1           A     Yes.

2           Q     And I'd like to ask you a few more  
3 questions about Mokupapa. You previously testified  
4 that you had never visited there. Are you otherwise  
5 familiar with Mokupapa Stream?

6           A     I'm familiar in the sense that I have  
7 looked at the registration files for the stream  
8 diversions on Mokupapa and I've studied the watershed  
9 and the data that are available for Mokupapa.

10          Q     And were those studies -- withdraw that.  
11                When that experience with Mokupapa, did that  
12 begin before November of 2018?

13          A     Yes. The Commission has looked at  
14 setting instream flow standards, other important areas  
15 across the State, you know, as part of our  
16 prioritization process.

17          Q     And was Mokupapa prioritized?

18          MR. FRANKEL:    Objection, --

19          THE WITNESS:    No.

20          MR. FRANKEL:    -- Your Honor. And I think I  
21 need to explain myself without the witness present.

22          THE COURT:     All right. Mr. Strauch,  
23 Dr. Strauch, can you step outside briefly. Thank you  
24 very much.

25          THE WITNESS:    Sorry.

1 Dr. Strauch is to elicit from him his personal  
2 observations and to clarify the bases for those  
3 observations due to a potential objection that was  
4 flagged by Mr. Frankel last week.

5 THE COURT: All right. Objection overruled.  
6 We'll see where this goes. Go ahead. Please continue,  
7 Miss Goldman.

8 MS. GOLDMAN: Mr. Wynhoff has stepped out to  
9 let the witness back in.

10 THE COURT: Thank you.

11 MR. WYNHOFF: I'm back.

12 THE COURT: Okay. Thank you. Please proceed.

13 MS. GOLDMAN: Okay.

14 Q Ayron, to your -- to your knowledge,  
15 Dr. Strauch, I apologize, what is the maximum elevation  
16 of Mokupapa?

17 A It is a -- give or take a few hundred  
18 feet, about 1550 feet in elevation.

19 Q Would you characterize it as a large  
20 stream?

21 A No.

22 MR. FRANKEL: Objection, Your Honor.

23 THE COURT: Basis? Just briefly not a long --

24 MR. FRANKEL: Expert, vague.

25 THE COURT: Overruled. Go ahead and answer.

1 THE WITNESS: No. Mokupapa doesn't have a  
2 large watershed area and it doesn't receive as much  
3 rainfall and the watershed does not extend into the  
4 zone of fog drip which contributes substantially to  
5 recharge. And all of these would contribute to greater  
6 stream flow. Because Mokupapa does not meet those  
7 criteria, it does not have much stream flow.

8 I have also seen photos of the flow in the  
9 stream and the size of the stream channel and they  
10 confirm that Mokupapa does not have much stream flow  
11 relative to other streams in East Maui.

12 Q (BY MS. GOLDMAN) What's the -- just very  
13 briefly, what's your understanding of the fog drip  
14 zone? You used that term, just to clarify.

15 A So the zone of fog drip occurs between  
16 2,000 and 6,000 feet in elevation on windward mountains  
17 here in Hawai'i. It's based on what we call the  
18 temperature inversion. It's the zone in the toposphere  
19 that -- where a temperature increases as you go up in  
20 elevation as opposed to typical conditions where  
21 temperature gets colder as you go up in elevation.  
22 This temperature in the inversion zone keeps the warm  
23 moist air that comes off of the ocean to a band of  
24 elevation between 2,000 and 6,000 feet. And that band  
25 of warm moist air contributes to fog drip. Fog drip,

1 again, contributes upwards of 30 percent of the  
2 recharge in the watershed.

3 Q What do you mean by recharge of the  
4 watershed?

5 A The amount of water that is input to the  
6 hydrologic units. So if you think of the watershed as  
7 a bucket, and you get rainfall that falls into the  
8 bucket, and you might have a hole in the bucket, which  
9 might represent the stream discharging water, you would  
10 also get mist. Or if you were to spray a mist bottle  
11 on the sides of the bucket, that mist would condense  
12 and collect on the bucket and flow into that pool of  
13 water that's available for ground water or stream flow.

14 Q Okay. All right. With respect to  
15 Waipi'o Stream, W-A-I-P-I-O, you testified that the  
16 last time you were there was in July. Had you been  
17 prior to July?

18 A No, I had not.

19 Q Okay. And describe the -- describe  
20 what based on your own observations were -- I withdraw  
21 that.

22 You also testified that you had been four  
23 times in total. Can you approximate when those four  
24 times would have been?

25 A So between July and August I was in East

1 Maui about four times.

2 Q Okay. Of this year?

3 A Yeah.

4 Q Okay. And based on what you observed,  
5 regarding the conditions of Waipi'o Stream, how would  
6 you characterize the flow?

7 A It's very flashy in the higher elevations  
8 -- I'll qualify flashy, meaning it responds to runoff.  
9 In the higher elevations the watershed's very narrow  
10 and therefore whenever it rains a substantial amount  
11 you get a lot of runoff. But there is -- there are no  
12 substantial tributaries so it doesn't have a lot of  
13 base flow.

14 Downstream or makai of the New Hāmākua Ditch  
15 diversion there is a large waterfall. This waterfall  
16 is dry unless you have a freshet event. Between the  
17 waterfall and the Lowrie Ditch, there are ground water  
18 gains in flow and -- but, again, no substantial  
19 tributaries.

20 Q Okay. How about Hanehoi Stream,  
21 H-A-N-E-H-O-I? Had you been there before November  
22 2018?

23 A Yes.

24 Q How about Hoalua Stream, H-O-A-L-U-A?

25 A Yes.



1 Q Had you been there?

2 Okay. Had your -- had you been to Hoalua  
3 Stream before November 2018 in your capacity at this  
4 current job?

5 A Yes.

6 Q And describe -- just based on your  
7 observations, describe Hoalua for us please.

8 A Hoalua is a watershed that stems higher  
9 into the mountain hi -- to a higher elevation than say  
10 Waipi'o. It has two -- it has one small tributary and  
11 one main stem above the Wailoa and New Hāmākua Ditches.  
12 It is heavily incised into the basalt through the main  
13 middle reach between the New Hāmākua Ditch and the  
14 Lowrie Ditch.

15 There is a major control structure on the  
16 westward side of the intake on the Lowrie Ditch such  
17 that no water -- or such that the amount of water  
18 diverted at the Lowrie Ditch could be regulated.

19 The substrate in Hoalua in the lower  
20 elevations is -- we call like jagged basalt. So it's  
21 relatively freshly broken up boulders basically.  
22 Hoalua has low reach diversity. Most of the watershed  
23 is composed -- most of the lower elevations of the  
24 watershed at least are composed of non-native species.  
25 Um --

1 Q You used the term middle reach. I don't  
2 know if we've previously discussed what's meant by  
3 lower, middle, and upper reach. Could you take a  
4 moment please and explain that?

5 A Okay. So the stream channel is broken  
6 into various sections depending on the distance inland  
7 and the elevation of the channel, and these sections  
8 support different types of biota.

9 The lowest elevation reaches are more  
10 estuarine, brackish. They support a larger complement  
11 of native biota. The middle reaches support species  
12 such as 'o'opu, nākea, and 'o'opu nōpili. And then the  
13 upper and high elevation reaches support more of the  
14 better climbers such as 'ōpae kala'ole and 'o'opu  
15 alamo'o.

16 Q We --

17 THE COURT: You need to spell those please.

18 THE WITNESS: Oh, sorry. 'O'opu is O-O-P-U.

19 And then alamo'o is A-L-A-M-O-O.

20 'O'opu nōpili, N-O-P-I-L-I.

21 Nākea, N-A-K-E-A.

22 THE COURT: Thank you.

23 Q (BY MS. GOLDMAN) Thank you.

24 And you briefly touched on the four at Hoalua  
25 Stream. Could you just talk about that for another

1 moment?

2 A The lowest elevations are the lower  
3 approximate quarter or 25 percent of Hoalua, give or  
4 take, is zoned agriculture in the state land use  
5 district zoning. As such, there is greater low density  
6 housing. The land cover is heavily modified. There's  
7 the introduction of a lot of non-native species.

8 Species such a hau bush and bamboo are  
9 frequently found at these elevation -- or at this zone  
10 in both Hoalua and neighboring watersheds. And these  
11 all impact things like leaf litter input, the what we  
12 call occlusion of the stream channel or the covering of  
13 the stream channel. So when water's flowing underneath  
14 the canopy, that canopy can block the sunlight. And  
15 the sunlight is what drives algal production and algae  
16 is the basis for our food resource for our aquatic  
17 ecosystem. Um --

18 Q So --

19 A Yeah.

20 Q If I may just interrupt you for a moment.  
21 So things like occlusion of the stream channel, is that  
22 something that you can observe? Like you personally  
23 have observed that?

24 A Yes.

25 Q Okay. And have you personally observed

1 the lack of algal growth? In --

2 A Yes.

3 Q -- that -- okay. And the hau bush and  
4 bamboo?

5 A Yes.

6 Q How about, let's see, Hanawana Stream,  
7 H-A-N-A-W-A-N-A. You testified that you never visited  
8 there, but you did have knowledge of the diversion  
9 before -- well, I apologize. But you had knowledge of  
10 the diversion. Did you have knowledge, personal  
11 knowledge, of the diversion structure prior to November  
12 2018?

13 MR. FRANKEL: Objection, relevance.

14 THE COURT: Overruled.

15 THE WITNESS: So my knowledge of the diversion  
16 structures on that stream are from the historic  
17 documents, the registration files that we keep.

18 Q (BY MS. GOLDMAN) Okay. Was there  
19 verification field work done on --

20 A Yes.

21 Q -- that stream?

22 A Um --

23 Q When was that?

24 A The Commission hired a consultant to  
25 verify stream diversions in East Maui. I think it was

1 in 2010, 2011. So we have their verification records  
2 as well and their photographs.

3 Q Have you had the opportunity to review  
4 those in addition to other historical documents?

5 MR. FRANKEL: Objection, calls for hearsay.

6 THE COURT: Hold on.

7 When you say review those, you're talking  
8 about these consultant documents from 2010 to 2011?

9 MS. GOLDMAN: Yes, Your Honor, but I don't  
10 intend to ask anything further regarding them, or their  
11 content.

12 THE COURT: So you're just asking if he  
13 reviewed them. You're not asking him to tell us what's  
14 in them. All right. You may answer.

15 MS. GOLDMAN: Yes, Your Honor.

16 MR. FRANKEL: Well, then, Your Honor,  
17 irrelevant.

18 THE COURT: You may answer.

19 THE WITNESS: Yes, I have reviewed all of the  
20 consultant's work.

21 Q (BY MS. GOLDMAN) And to your knowledge,  
22 are there diversions belonging to other parties who are  
23 not EMI, A&B on Hanawana Stream?

24 A Yes.

25 Q How about on Waipi'o Stream? Are there

1 non-EMI diversions there?

2 A Yes.

3 Q And how about Mokupapa? Are there  
4 non-EMI diversions there?

5 A Yes.

6 Q Moving on to Kailua Stream, K-A-I-L-U-A.  
7 You had testified that you've been there 120 times I  
8 think you said because it's easily accessed from the  
9 road. But have you also been there for work?

10 A Yes.

11 Q Okay. And had you been there for work  
12 prior to November 2018?

13 A Yes.

14 Q Okay. Describe Kailua Stream for us,  
15 please.

16 A Kailua Stream has one main tributary. It  
17 extends fairly high up in elevation. I think to around  
18 6 or 7,000 feet in elevation. I could be off. The  
19 main tributary is named Ohanui, H -- or O-H-A-N-U-I,  
20 the spelling on some maps. Some documents refer to  
21 that stream as West Kailua.

22 The Kailua and West Kailua channels are  
23 heavily incised. They are fairly large or wide.  
24 They're also full of hau bush and other non-native  
25 vegetation. There are a number of inland waterfalls,

1 multiple inland waterfalls of substantial height, some  
2 of which have overhanging lips. There is a terminal  
3 waterfall.

4 Kailua Stream is -- has -- has three major  
5 diversions on it. It is a gaining stream. There  
6 are -- there's one -- no, there are two major  
7 diversions on West Kailua Stream.

8 I'll stop there.

9 Q Okay. So are there any -- you said that  
10 there's a terminal waterfall. Just to clarify, that's  
11 a waterfall ending in the ocean, correct?

12 A Correct.

13 Q And other waterfalls as well.

14 Are there other natural barriers -- other  
15 barriers to migration that you've observed? I mean,  
16 barriers to connectivity of that stream?

17 MR. FRANKEL: Objection, calling for expert  
18 opinion.

19 THE COURT: When you say that stream, are you  
20 talking about Kailua Stream?

21 MS. GOLDMAN: Yes, Your Honor.

22 THE COURT: So you're just asking him if there  
23 are any natural barriers to migration?

24 MS. GOLDMAN: Any other natural barriers to  
25 migration.

1 THE COURT: All right. The objection's  
2 overruled. You may answer.

3 THE WITNESS: The inland waterfalls represent  
4 natural barriers to migration, for certain species.

5 MS. GOLDMAN: Okay.

6 Q I assume that would be the non-climbers?

7 Is that --

8 A The climbers that are not the best  
9 climbers.

10 Q Okay. Nā'ili'i'ilihaele Stream.

11 N-A-I-L-I-I-L-I-H-E-L-E. And this one also you had  
12 said was easily accessed from the main road. Do you  
13 also visit it for work?

14 A Yes, I do.

15 Q Okay. And had you visited before  
16 November of 2018?

17 A Yes.

18 MR. FRANKEL: Relevance.

19 THE COURT: Overruled.

20 THE WITNESS: Yes.

21 Q (BY MS. GOLDMAN) Describe

22 Nā'ili'i'ilihaele for us, please.

23 A It's a relatively large watershed, it  
24 extends again fairly high in elevation, through the fog  
25 drip zone. There are a couple of -- I would say three



1 relatively small tributaries that are -- contribute to  
2 the main stem.

3           The main stem is diverted five times. A  
4 portion of the main stem is used for the conveyance of  
5 water from the Center Ditch to the Lowrie Ditch. There  
6 is a offstream reservoir that is fed by water diverted  
7 from this main stem. The -- much of the watershed is  
8 modified by the presence of bamboo. Bamboo has invaded  
9 much of the middle elevations and has changed the  
10 riparian zone substantially as well as the stream.

11           Nā'ili -- the -- Nā'ili'ilihaele ends in a  
12 terminal waterfall. There are a number of inland  
13 waterfalls as well.

14           Q     And how about on Nā'ili'ilihaele, what  
15 about any gaging stations? Are there any gaging  
16 stations?

17           A     The Commission on Water Resource  
18 Management has up until last year had not funded any  
19 long-term continuous gaging stations. But we in  
20 consultation with USGS on our statewide monitoring  
21 needs assessment, we added a station in -- on the main  
22 stem above the Wailoa in -- Ditch intake. This station  
23 was previously active from 1913 to 1971 I believe, or  
24 '75.

25           In terms of its location as an index station

1 and the continuity of long-term monitoring records to  
2 understand climate impacts to hydrological resources,  
3 this location was ideal. We checked off a number of  
4 boxes in our monitoring needs assessment.

5 Outside of that station that was recently  
6 funded, no, there are no other gaging stations in  
7 Nā'ili'ilihaele.

8 Q Okay. And Puehu Stream, P-U-E-H-U. You  
9 said that you had been there four times not counting  
10 seeing it from the highway. Was that for work  
11 purposes?

12 A Yes.

13 Q And were those visits, were any of them  
14 before November of 2018?

15 A Uh -- no.

16 Q Okay. When were they?

17 A Um -- over the last year and a half.

18 Q Okay. And just briefly, and it --  
19 assuring -- you know, ensuring that it's based on your  
20 personal observations only, could you please describe  
21 Puehu Stream for us?

22 A Puehu has two small tributaries. Pa  
23 Stream and Puehu Stream. Pa Stream has -- starts at  
24 about the 3,000 foot elevation, maybe 2200 feet in  
25 elevation. I can't remember off the top of my head.

1 Pa Stream is used for the conveyance of water at the  
2 Center Ditch very -- for a very short period -- short  
3 length.

4 And then Pa Stream is diverted by the --  
5 sorry, that -- I -- instead of Center Ditch, I meant  
6 Manuel Luis Ditch, and then Pa Stream is diverted by  
7 the Center Ditch as well. Um --

8 Q How about --

9 A Pu --

10 Q -- the -- oh. I apologize.

11 A No. Puehu Stream is also diverted by the  
12 Center Ditch. Puehu hydrologic -- or watershed is  
13 relatively small. It's dominated by non-native  
14 vegetation. The -- there are no structures at the  
15 Center Ditch intakes. The small stream flows directly  
16 into the ditch.

17 Q Okay. How about 'O'opuola?

18 O-O-P-U-O-L-A. You also said you'd been there about  
19 119, 120 times. Was that also for work?

20 A Yep. So 'O'opuola Center Ditch intake  
21 can be accessed from the main highway. The -- there  
22 are -- there's one named tributary for Makanali -- or  
23 named Makanali into 'O'opuola. That's M-A-K-A-N-A-L-I.  
24 Makanali. 'O'opuola has three tributaries that are  
25 minor. The -- each one is diverted by the Wailoa and

1 New Hāmākua Ditches.

2 'O'opuola main diversion is on Wailoa Ditch  
3 and captures most of the base flow available. From the  
4 Wailoa Ditch makai, so from about 1250 feet to the  
5 ocean, 'O'opuola watershed's dominated by non-native  
6 vegetation, a lot of hau bush. There are areas that  
7 have some thick stands of strawberry guava.

8 I'll leave it at that.

9 Q Okay. Ka'aiea. K-A-A-I-E-A. You said  
10 you'd been there four times for work, last time being  
11 earlier this month. Had you also visited in November  
12 of 2018?

13 A Um --

14 Q Or I apologize. Had you also visited  
15 prior to November of 2018?

16 A Um -- no. Uh -- un -- unless you're just  
17 referring to seeing something from the highway.  
18 Ka'aiea is a relatively small stream, although it's  
19 got -- the watershed extends fairly high up in  
20 elevation. It doesn't have any major tributaries at  
21 all.

22 The main intake is on Wailoa Ditch at -- above  
23 a waterfall. There are a couple of inland waterfalls  
24 at the Man -- it's diverted at the Manuel Luis Ditch  
25 and then the Center Ditch as well. The Center Ditch

1 intake is right on the highway so you can see it from  
2 the highway. But because there's relatively little  
3 water that's flowing into it, it's less of a priority  
4 for us to, um -- observe.

5 Q Okay. How about Punalu'u, P-U-N-A-L-U --  
6 L-U-U? Had you -- you testified that you've analyzed  
7 this stream in the course of your job. Had any of that  
8 taken place prior to November of 2018?

9 A So as part of my job, um -- we look at  
10 the larger sources of water that contribute to  
11 irrigation systems, and this stream does not contribute  
12 much flow. It's a relatively small watershed. It  
13 doesn't extend into that zone of fog drip. It's  
14 dominated by bamboo. It's, um -- low base flow.

15 Q Okay. Thank you.

16 Kōlea Stream, K-O-L-E-A. Had you visited  
17 before November of 2018?

18 A Yes.

19 Q And can you please describe Ke -- Kōlea  
20 Stream, including how you get there.

21 A Um -- you can get to part of the stream  
22 from the highway, but you can also drive. There are  
23 two different access roads to Kōlea.

24 The watershed is heavily dominated by bamboo.  
25 Bamboo was -- has been encroaching from the Waikamoi

1 side and it has been, um -- altering the vegetation  
2 cover. Kōleā also used to have an instream reservoir  
3 that was decommissioned by the State in 2011 and a con  
4 -- consultant removed the structure altogether.

5           There is a West Kōleā tributary to the East  
6 Kōleā or main Kōleā Stream. Kōleā extends kind of  
7 a -- not as high up and onto Haleakalā as say Kailua,  
8 but higher up than say Puehu, and therefore there's a  
9 little bit more water available for instream and  
10 offstream uses.

11           Kōleā, because the name was also part of --  
12 because the name Kōleā was part of a tributary to  
13 Punalau, which was part of the original petition, we've  
14 spent -- I have spent a -- more time studying Kōleā and  
15 Division of Aquatic Resources did a number of biota  
16 surveys in Kōleā and I've studied those results.

17           Kōleā ends at a terminal waterfall and is a  
18 gaining stream.

19           Q    Okay. Okay. How about Waikamoi? You  
20 said you had been about 120 times. Have you been there  
21 prior to November of 2018?

22           A    Yes.

23           Q    Was that for work?

24           A    Yes.

25           Q    Are there any gaging stations on

1 Waikamoi ?

2 A The Water Commission manages and -- a  
3 gaging station to monitor instream flow standards, and  
4 that responsibility lies on me to -- to do the field  
5 work for and analyze the data. There is also a Maui  
6 County funded USG -- co-funded USGS gage above the  
7 Waikamoi dam at about the 4,200 foot elevation, give or  
8 take a few hundred feet. That's above the Waikamoi  
9 Flume which is a primary drinking supply for Upcountry  
10 Maui .

11 Q Okay. Puohokamoa, P-U-O-H-O-K-A-M-O-A.  
12 You said you'd been there approximately 40 times and  
13 that you'd been there before November 2018.  
14 Was -- were those visits for work? Had you been there  
15 in the course of this job?

16 A Yes.

17 Q Okay. Hai pua'ena, H-A-I-P-U-A-E-N-A.  
18 Actually, withdraw that one.

19 Punalau, P-U-N-A-L-A-U. You briefly touched  
20 on this, but just again had you visited before November  
21 2018?

22 A Yes.

23 Q Honomanū, H-O-N-O-M-A-N-U. Is there a  
24 gaging station there?

25 A There is no -- well, right now, yes.

1 The -- in 2018 I -- the Commission on Water Resource  
2 Management added Honomanū as part of our statewide  
3 monitoring needs. I had tried to establish a  
4 monitoring station in Honomanū at a lower elevation,  
5 and the gaging station kept getting wiped out by flash  
6 -- flash flooding.

7 It was very frustrating, because you can spend  
8 a day and a half doing installation and you visit it  
9 five or six times to start developing the rating curve  
10 and site the station, and then a boulder the size of a  
11 bus moves and you have to start all over and you lose  
12 all your equipment. So this is one of the examples of  
13 a location where it is -- makes sense for us to fund a  
14 USGS gage because it saves time on my plate to address  
15 other issues.

16 Q And that USGS gage is there and active  
17 today, correct?

18 A They have a gaging station that is  
19 collecting data right now. They are not quite finished  
20 with the satellite connection, but they have been  
21 monitoring the dat -- the flows, yes.

22 Q Okay.

23 THE COURT: Miss --

24 Q (BY MS. GOLDMAN) Nua'ai lua, have you --

25 THE COURT: Miss Goldman, we've been going 50



1 minutes so we're going to take our morning break. So  
2 I'll see you at two minutes of 10:00. Okay? Ten  
3 minutes from now.

4 MS. GOLDMAN: Okay. Thank you.

5 THE COURT: All right. Thank you.

6 All right. Off record.

7 (Off record.)

8 THE COURT: We're in recess.

9 (Recess taken at 9:50 a.m.)

10 (Proceedings resumed at 9:59 a.m.)

11 THE COURT: All right. We're back on record.

12 FTR on?

13 THE BAILIFF: Yes, it is.

14 THE COURT: All right. Miss Goldman, go  
15 ahead, please.

16 MS. GOLDMAN: Thank you, Your Honor.

17 Q Dr. Strauch, have you been -- have you  
18 visited Pi'ina'au Stream, P-I-I-N-A-A-U, before  
19 November 2018?

20 A Yes.

21 Q Was that for work?

22 A Yes.

23 Q How about Palauhulu, P-A-L-A-U-H-U-L-U?

24 A Yes.

25 Q How about Waiokamilo,

1 W-A-I -O-K-A-M-I-L-O? Had you -- you testified that the  
2 diversions were discontinued before you started at  
3 CWRM, is that right?

4 A Yes.

5 Q Can you tell us just from what you know  
6 for that through your job why that was or how you know  
7 that?

8 A So Waiokamilo was originally part of the  
9 27 stream petition filed with the Commission in 2001.  
10 Following a Land Board decision in I believe 2006, East  
11 Maui Irrigation notified us that they would be  
12 discontinuing the use of those diversions, so that's  
13 why they -- (indiscernible).

14 Q Are there remaining diversion structures  
15 on Waiokamilo Stream to your knowledge?

16 A Yes. There are a couple of 'auwai,  
17 A-U-W-A-I, or ho'ouai, the intake, which start as like  
18 little concrete or boulder structures in a stream that  
19 remove water primarily for kalo production.

20 Q And have you personally been to them  
21 since you began with CWRM, so while they were  
22 discontinued?

23 A So have I -- have I been to the East Maui  
24 Irrigation diversions or the 'auwai diversions?

25 A Let's do one at the time. The EMI

1 diversions.

2 A Um -- no, I have not been to the EMI  
3 diversions on Waiokamilo.

4 Q How about 'auwai or (indiscernible)?

5 A Yes, ho'ouai.

6 Q In what ways are those non-EMI  
7 diversions?

8 A They're what we call the community  
9 sources of water, kuleana sources of water that support  
10 traditional and customary practices in the area.

11 Q Okay. Wailuānui, W-A-I-L-U-A-N-U-I. You  
12 stated that you had been there about 120 times for  
13 work. Was that prior to November 2018?

14 A Not 120 times prior to 20 -- November  
15 2018, but, yes, I had been there prior to November  
16 2018.

17 Q West Wailuāiki, W-A-I-L-U-A-I-K-I. You  
18 said -- you also said you had been there about 120  
19 times for work. Were any of those from before November  
20 2018?

21 A Yes.

22 Q And how about East Wailuāiki? Same  
23 spelling.

24 A Yes.

25 Q Okay. And have you spent time at East

1 and West Wailuāiki in the past year?

2 A Yes.

3 Q What have you been doing there?

4 A I monitor the stream resources. As well  
5 as the diversions.

6 Q Are you involved in the study of those  
7 streams?

8 I should clarify. The study we spoke about  
9 when you previously testified in consultation with DAR  
10 regarding the difference between full and H90?

11 A Yes.

12 Q And -- okay. How about Pua'aka'a,  
13 P-U-A-A-K-A-A? Have -- had you been there prior to  
14 2018?

15 A Yes.

16 Q Were you familiar with the diversions  
17 there because of your work?

18 A Yes.

19 Q Okay. Kōpiliula, K-O-P-I-L-I-U-L-A. Had  
20 you been between to the Kōpiliula intake at Ko'olau  
21 Ditch before November of 2018?

22 A Yes.

23 Q Waiohu'e Stream, W-A-I-O-H-U-E Stream.  
24 Had you been there before November 2018?

25 A Yes.

1 Q Have you been there in the past year?

2 A Yes.

3 Q Okay. I'd like to call your attention to  
4 just very briefly what was marked as Plaintiff's  
5 Exhibit 65 which has been admitted into evidence.

6 A Okay.

7 Q Okay. Do you recognize this photograph?

8 A Yes.

9 Q What is it?

10 A You're looking from the right bank at the  
11 outflow of a plunge pool in Waiohu'e with the  
12 connectivity flow pipe coming from the photographer  
13 towards the middle of the photo. The -- in the -- the  
14 stone that's been set is part of the intake from  
15 Waiohu'e to Ko'olau Ditch on the -- the lower right  
16 portion.

17 Q And based on your personal experience  
18 going there -- or when was the last time you went to  
19 this stream?

20 A Mid-July.

21 Q Was the connectivity flow pipe still  
22 there?

23 A Yes.

24 Q Okay. Even though it's not currently  
25 being used, can it be used again in the future --

1 A Yes.

2 Q -- to -- sorry, to clarify, is it usable?

3 A Yes.

4 Q And might there be any opportunity in the  
5 future to use that pipe again?

6 MR. FRANKEL: Objection, calls --

7 THE WITNESS: Yes.

8 MR. FRANKEL: -- for speculation.

9 THE COURT: Sustained.

10 Q (BY MS. GOLDMAN) That stream, is that  
11 stream one of the ones being studied?

12 A Yes.

13 Q And which is it, a full or H90  
14 restoration stream?

15 A It's a full restoration stream.

16 Q Pa'akea, P-A-A-K-E-A. You said you had  
17 been there once before November of 2018. Could you  
18 describe it just very briefly?

19 A It starts in native forest at moderate  
20 elevation and the main channel flows in relatively  
21 young lava. There is two minor diversions that also  
22 contribute flow off of springs. The main stem is  
23 diverted at the Ko'olau Ditch. There are -- the -- the  
24 stream channel's dominated by bedrock. It's a gaining  
25 stream, flows down to a terminal waterfall at the

1 ocean.

2 Q Okay.

3 A There are one main tributary, Puakea.  
4 Puakea's also a gaining stream. Yeah.

5 Q Okay. Prior to the filing of this case,  
6 did you personally think of Puakea as a stream?

7 MR. FRANKEL: Objection, relevance.

8 THE COURT: Sustained.

9 Q (BY MS. GOLDMAN) Has Puakea been studied  
10 at all, to your knowledge -- or I'll withdraw that.

11 Have you studied Puakea at all?

12 A Um -- only in the context of it being a  
13 tributary to Pa'akea.

14 Q Okay. Hanawī Stream, H-A-N-A-W-I -- oh,  
15 I forgot also. Would you please just close the exhibit  
16 that was open in front of you, if you haven't already.

17 Thank you.

18 Okay. Hanawī Stream. You'd been there about  
19 50 times. Had you been there before November 2018?

20 A Yes.

21 Q And Makapii Stream. You'd been there  
22 about 60 times. Was that prior to 2018 as well?

23 A Yes.

24 Q Prior to November 2018?

25 A Yes.

1 Q Okay. Okay. And is there anything I  
2 haven't yet asked you that you think would be important  
3 to explain your other testimony?

4 MR. FRANKEL: Objection, Your Honor, form of  
5 the question.

6 THE COURT: Sustained.

7 MR. FRANKEL: Vague. Thank you.

8 THE COURT: I'm sorry. I said sustained.  
9 Maybe you folks didn't hear me. Sorry.

10 MS. GOLDMAN: Oh. Okay. That's all from the  
11 State for Dr. Strauch at this current time.

12 THE COURT: Okay. Thank you.

13 Let's see. I think we --

14 Mr. Schulmeister, you wanna go first?

15 MR. SCHULMEISTER: Okay.

16 CROSS EXAMINATION

17 BY MR. SCHULMEISTER:

18 Q All right. Dr. Strauch, you had some  
19 testimony about your typical East Maui site visits.  
20 Just a couple questions on that. On a typical day,  
21 would that start with an early flight?

22 A Uh, certain pre-COVID, many trips I would  
23 spend the night on the island. So some days,  
24 obviously, it starts with an early morning flight to  
25 get to the island. Other days it starts with an early



1 morning wake-up and coffee on the island, so no flight  
2 involved.

3 Q And what do you usually take with you?

4 A Um -- in terms of field equipment? I  
5 bring, you know, a day's supply of food and water,  
6 emergency clothes, emergency locator beacon, sometimes  
7 a radio, field notebook, various measuring devices,  
8 equipment, various foot attire. I have three different  
9 pairs of tabs on Maui for the different conditions,  
10 such as fishing.

11 Q Your measuring equipment, do you normally  
12 carry that?

13 A Yes.

14 Q And you mentioned I think that sometimes  
15 you drive and sometimes you hike. With the measuring  
16 equipment, do you have to just physically carry that by  
17 hand when you hike?

18 A Yes.

19 Q Okay. And you mentioned snorkel surveys  
20 a number of times. So just to be clear, what exactly  
21 is a snorkel survey?

22 A So we -- I'll -- I'll describe the  
23 process from the beginning. At a location where we  
24 will do a snorkel survey, we will measure out transects  
25 at a particular reach. So those are widths across a

1 stream at known intervals. And then at a specific  
2 randomized location within that transect we will do  
3 what's considered a point quadrant snorkel survey. So  
4 we tend to wear wetsuits because the water gets really  
5 cold when you're in it for that length of time. So we  
6 put on a wetsuit, we put on a snorkel, we approach the  
7 transect and the randomized location within the  
8 transect from downstream. And as you approach it, you  
9 define the boundaries of the transect.

10 Our goal is to do a one meter transect, but  
11 nothing in nature is perfectly defined in one meter  
12 sections or areas. And so in that quadrant that we  
13 subsequently measure, we monitor for such as  
14 three-minute length of time the number of species, we  
15 estimate the number of the -- the species, we count  
16 them -- or we estimate size, we count the number, and  
17 we identify them to the species.

18 Once that length of time is up, we use a  
19 folding ruler to measure the actual boundaries and the  
20 depth and then we measure the velocity through that.  
21 So that's a typical snorkel survey.

22 We then estimate the substrate, such as  
23 boulder, gravel, bedrock, and then the habitat, riffle,  
24 run, pool, cascade, those sorts of descriptors that go  
25 with that quantitative data.

1 Q So would you have snorkel surveys on like  
2 on the same site visit as taking flow measurements?

3 A Yeah. We would typically measure flow or  
4 have a monitoring station that's monitoring flow at  
5 the -- the stream of interest.

6 Q So on a visit like this on a typical -- I  
7 mean, how many hours do you actually work in a day?

8 A So on a day that we're doing snorkel  
9 surveys, we focus -- we can only do maybe -- and,  
10 again, it depends on how many observers you have. If  
11 you have two that are leapfrogging each other, you can  
12 get maybe two reaches done in a day, and each reach has  
13 20 snorkel surveys. That's an entire day from, you  
14 know, being in the field from 8:00 a.m. to maybe 5:00  
15 p.m. Certain days, you know, depending on who's with  
16 you, your team, I can spend, you know, 14 hours a day  
17 in the field, 16 hours, depending on daylight. We're  
18 mostly limited by the amount of daylight.

19 Q And that doesn't include travel time?

20 A No.

21 Q And so if you got up in the morning and  
22 you go and you have a day like that, then you still  
23 have to fly back?

24 A Yeah, I'm usually on the -- the 9:00 p.m.  
25 flight back.

1 Q Okay. And do you get paid overtime?

2 A No.

3 Q Do you try to be conscientious and  
4 diligent when you collect data?

5 A I mean, we focus our efforts to inform  
6 the Commission as best we can. So in the sense that we  
7 don't gather field work data in locations that are not  
8 important to Commission decisions.

9 Q And do you try to be precise when you  
10 collect and record the data?

11 A Yes.

12 Q And do you double check it before you  
13 publish it?

14 A Yes.

15 Q Do you take pride in your job?

16 A I do.

17 Q Now, is it the -- is there a surface  
18 water branch at CWRM that you're a part of?

19 A The Stream Protection Management Branch  
20 addresses surface water.

21 Q Okay. And, again, is that -- could you  
22 just kinda just briefly describe how the surface water  
23 branch is staffed and where you kind of fit into the  
24 organizational chart?

25 A So we have a branch chief, and then we

1 have two sections. The Permits & Regulations Section  
2 and the Instream Use Protection Section. We have one  
3 person who deals with permits and regulations and then  
4 I am in the Instream Use Protection Section. I  
5 supervise right now two other employees. One person  
6 manages our -- our water use reporting and national  
7 hydrography data set for the State of Hawai'i.

8 THE COURT: Can you --

9 THE WITNESS: (Indiscernible) --

10 THE COURT: -- please slow down a little bit.

11 THE WITNESS: Sorry.

12 THE COURT: Okay. Thank you.

13 THE WITNESS: We have two employees that I  
14 supervise. One of them manages the national  
15 hydrography data set for the State of Hawai'i as well  
16 as our water use reporting database and then assists me  
17 with field work as needed. One employee does --  
18 follows up with water use reporting and assists with  
19 field work and does stream diversion verifications.

20 Q (BY MR. SCHULMEISTER) Okay. And is this  
21 a good team?

22 A Yes.

23 Q Now, is one of the things that you  
24 do attend Water Commission meetings and from time to  
25 time present informational presentations or Power

1 Points to Water Commission members?

2 A Yes.

3 Q And is that done -- what's the purpose  
4 for doing that?

5 A I inform the Commission on field work on  
6 efforts used to advance our development of instream  
7 flow standards, on monitoring needs, on communications.  
8 Our -- often times we collaborate with other agencies  
9 and so I will present or bring in other presentations  
10 as a collaborative effort to inform the Commission on  
11 issues that we are addressing.

12 Q Okay. And one of the other agencies I  
13 think you mentioned that you collaborate with is United  
14 States Geological Survey, USGS, is that right?

15 A Correct.

16 Q And from time to time there's a USGS  
17 representative who, you know, makes the presentation  
18 along with you or in conjunction with you, is that  
19 right?

20 A Correct.

21 Q Now, I think you also mentioned that from  
22 time to time the Water Commission collaborates with  
23 USGS on various studies, is that right?

24 A Correct.

25 Q Does that sometimes include the County of

1 Maui and Board of Water Supply as well?

2 A It does.

3 Q Could you look at Exhibit S-5?

4 THE COURT: Is that S as in Sierra 5?

5 MR. SCHULMEISTER: No, as in State 5.

6 THE WITNESS: Okay.

7 Q (BY MR. SCHULMEISTER) So --

8 THE COURT: It's already in evidence, right?

9 MR. FRANKEL: No.

10 MR. SCHULMEISTER: I'm sorry?

11 MR. FRANKEL: Well, if it is, it's for a very  
12 limited purpose, Your Honor.

13 THE COURT: Okay. Well, I'd have to go back  
14 and review my notes on that, but for whatever purpose  
15 it's in, it's in, right?

16 MR. SCHULMEISTER: Right.

17 Q Dr. Strauch, are you familiar with the  
18 study that's -- well, S-5?

19 A Yes.

20 Q And earlier in your testimony I think you  
21 gave some testimony about a study that had come up with  
22 64 percent of base flow as being the flow in Hawaiian  
23 streams that corresponds to 90 percent habitat  
24 suitability. Do you remember that?

25 A Yes. As it applies to East Maui streams.

1 Q Right. Is S-5 the study that you're  
2 referring to?

3 A Yes.

4 Q Okay. And so in that, is that study one  
5 that has been relied upon and cited to frequently over  
6 the years for precisely that point about the 64 percent  
7 base flow corresponding as a result of this study in  
8 certain East Maui streams to 90 percent habitat  
9 suitability?

10 MR. FRANKEL: Objection, form of the question,  
11 vague, passive voice, calls for speculation.

12 THE COURT: Passive voice? That's a new one.  
13 What's that?

14 MR. FRANKEL: Passive voice. Relied on.  
15 Relied on by whom?

16 THE COURT: Oh. Okay. Sustained.

17 Q (BY MR. SCHULMEISTER) Dr. Strauch, could  
18 you look at J-14, please?

19 A Okay.

20 Q All right. And turn to Bates stamp page  
21 000169.

22 A Okay.

23 Q All right. And, again, I believe you  
24 testified previously that you're familiar with J-14 as  
25 being the June 20th, 2018 Water Commission's Decision



1 and Order setting interim -- amending interim instream  
2 flow standards. Is that right?

3 A Yes.

4 Q Okay. And there's references beginning  
5 on page 000169 and continues for the next several  
6 paragraphs to studies done in 2002 and a specific  
7 reference, Gingerich, S.B. and Wolff, quote, "Effects  
8 of Surface-Water Diversions on Habitat Availability for  
9 Native Macro-Fauna, Northeast Maui," Hawai'i: U.S.  
10 Geological Survey Scientific Investigations Report  
11 2005-5213.

12 Do you see that?

13 A Yes.

14 Q Is that a reference to the same study  
15 that is Exhibit S-5?

16 A Yes.

17 Q Okay.

18 THE COURT: Mr. Schulmeister, I think --

19 Q (BY MR. SCHULMEISTER) Now --

20 THE COURT: Mr. Schulmeister, time out. I  
21 think your question referred to 2002. I'm going to  
22 assume you meant 2005. Is that fair?

23 MR. SCHULMEISTER: Actually, well, the -- I  
24 think it was -- the report is dated 2005. But if you  
25 look at the first paragraph of 562 on the page I

1 referenced, the studies were actually conducted between  
2 2002 and 2005.

3 THE COURT: Oh, I see.

4 MR. SCHULMEISTER: According to the findings.

5 THE COURT: I got it. Thank you.

6 Q (BY MR. SCHULMEISTER) Dr. Strauch, did  
7 you testify during the contested case hearing on  
8 the -- in the case that led to the Decision and Order  
9 that is J-14?

10 A Yes.

11 Q Okay. And do you remember who called you  
12 as a witness?

13 A I might be confusing like different  
14 contested case hearings officers, but the process I  
15 think it was the hearings officer that called me, but  
16 that might have been in a different contested case.  
17 So, no, I -- I could not with definitive. Sorry.

18 Q All right. Well, do you remember that  
19 Dr. Lawrence Miike was the hearings officer when you  
20 testified?

21 A Yes.

22 Q All right. And you don't recall if he's  
23 the one who actually called you to come in and testify?

24 A I think he did. It is my understanding  
25 that the hearings officer called me in the con -- in

1 multiple contested cases.

2 Q All right. Okay. That's fine.

3 Could you look at Exhibit AB-164?

4 THE COURT: 164?

5 MR. SCHULMEISTER: Yes.

6 THE COURT: Thank you.

7 THE WITNESS: Okay.

8 Q (BY MR. SCHULMEISTER) Okay. Do you  
9 recognize this document?

10 A Yes.

11 Q What is it?

12 A It's a summary of the interim instream  
13 flow standards established prior to -- is this before  
14 or after? It must be -- prior to the 2018 decision for  
15 East Maui streams petitioned in 2001.

16 Q Did you have any role in the preparation  
17 of this document?

18 A Yes. I --

19 Q What was that?

20 A -- helped organize it.

21 Q And was that at the request of Dr. Miike,  
22 the hearings officer?

23 A I can't remember for sure.

24 Q Okay.

25 MR. SCHULMEISTER: Your Honor, I'd like to

1 just note that this is one of the documents that we've  
2 asked that this was an exhibit in the CWRM case. It  
3 was marked Exhibit H0-1, H-0 standing for hearings  
4 officer, and it's available on the Commission website.  
5 We got the petition also, so I'd like to move it into  
6 evidence at this time.

7 THE COURT: So you're saying it was an exhibit  
8 in the CWRM hearing that resulted in the 2018 D&O?

9 MR. SCHULMEISTER: Yes.

10 THE COURT: All right. And your basis for  
11 moving it into evidence is?

12 MR. SCHULMEISTER: Well, I would like to  
13 question the witness about it. He's already said that  
14 he was involved in the preparation of it. And I would  
15 like to have it in evidence so you can -- he also  
16 testified that he con -- that he testified at the  
17 hearing.

18 THE COURT: Are you introducing it for the  
19 truth of the data that's in it?

20 MR. SCHULMEISTER: I am -- well, I -- you  
21 know, I haven't gotten there yet because I haven't been  
22 allowed to question the witness about it. But at a  
23 minimum I think it should be admitted as a true and  
24 correct copy of Hearings Officer Exhibit 1 to the --  
25 Exhibit 1 in the record of the contested case hearing

1 that led to the D&O.

2 THE COURT: Okay. Mr. Frankel?

3 MR. FRANKEL: Objection, to relevance,  
4 foundation, hearsay.

5 THE COURT: Okay. But do you dispute its  
6 authenticity in the sense that it was an exhibit in the  
7 CWRM hearing?

8 MR. FRANKEL: I have no idea and I'm not gonna  
9 fight that, Your Honor.

10 THE COURT: All right. Miss Goldman?

11 MS. GOLDMAN: The State has no objection.

12 THE COURT: Mr. Rowe?

13 MR. ROWE: The County has no objection, Your  
14 Honor, and would also like to note that this exhibit is  
15 specifically referenced in the Decision and Order.

16 THE COURT: Can you give me a cite to that,  
17 please?

18 MR. ROWE: Yes, Your Honor. So J-14 is the  
19 Decision and Order. If you look at page 154, which is  
20 the Bates number, paragraph 500 is one reference to it.  
21 I'm not sure if there are more.

22 THE COURT: All right. I am looking at J-14  
23 right now and you're right, paragraph 500 references  
24 Exhibit H0-1.

25 All right. The objection is overruled. So

1 AB-164 is received.

2 (DEFENDANT'S EXHIBIT NO. AB-164 IS  
3 RECEIVED INTO EVIDENCE.)

4 MR. FRANKEL: Your Honor, is that for the  
5 truth of the matter or just --

6 THE COURT: Well, Mr. Schulmeister said he  
7 wasn't there yet so I guess not, not yet.

8 MR. SCHULMEISTER: Okay. All right.

9 Q Dr. Strauch, could you give a -- and I'll  
10 give you a minute to do it because I realize it may  
11 have been a while since you've looked at this, but  
12 could you explain what the preparation of this document  
13 was intended to depict for the hearings officer?

14 A So in prior Commission decisions, some of  
15 the IIFSs established had seasonal components to it.  
16 So there were wet season and dry season IIFSs for  
17 specific streams. And the first set of columns next to  
18 the stream name depicts that seasonal component.  
19 Although if there is no seasonal component, it says  
20 annual in parentheses under dry season.

21 So the -- these were based on Commission  
22 decisions I believe in 2008 and 2010. The restoration  
23 amounts I believe is the am -- additional amount that  
24 was to be restored given the draft -- or no, um -- let  
25 me read that.

1 MR. SCHULMEISTER: Your Honor, let me withdraw  
2 that question. I can make a more specific question if  
3 that would be helpful.

4 THE COURT: That's fine.

5 Q (BY MR. SCHULMEISTER) All right. Let's  
6 look at the column that says -- it's the next column.  
7 It says the amount EMI diverted and it has a couple of  
8 footnotes. Do you see that?

9 A Yes. Let me read the footnotes.

10 Q And I guess footnote 3 and footnote 4,  
11 and directing your attention to footnote 3. I'm sorry,  
12 footnote 4. It says the total amount EMI diverted is  
13 not the full amount diverted by the entire EMI system  
14 as the streams displayed in the table is only a subset  
15 of the streams that EMI diverts from East Maui. Do you  
16 see that?

17 A Yes.

18 Q Is that true and correct?

19 A Yes.

20 Q Okay. All right. Let's talk a little  
21 bit about the IIFS amendment process that you testified  
22 to during your direct examination. I think that you  
23 mentioned that you were involved in the IIFS amendment  
24 process at the staff level, is that right?

25 A Yes.

1           Q     How many different ways are there to  
2 potentially initiate an IIFS amendment?

3           A     We have two ways. A petitioner can file  
4 paperwork with the Commission to initiate the process  
5 or staff can initiate the process themselves.

6           Q     Okay. And I think you'd mentioned that  
7 part of your job when there's an IIFS being considered  
8 is to look at the instream values, --

9           A     Yes.

10          Q     -- is that right?

11                 What about the non-instream values? Do you  
12 also work on that?

13          A     Yes.

14          Q     And I think you'd testified quite a bit  
15 about what you'd do when evaluating instream values.  
16 Could you just, you know, briefly summarize what you do  
17 when you evaluate the non-instream values?

18          A     So we look at the use. Depending on the  
19 system, the use might vary from domestic use to  
20 commercial agriculture to drinking water supply to  
21 hydropower. So we -- we evaluate what that use is. Is  
22 it a reasonable and beneficial use based on, for  
23 example, when you evaluate the -- for agriculture, the  
24 crops being grown and the amount of irrigation proposed  
25 for those crops. We look at for drinking water supply



1 the population served, altern -- we also look at  
2 alternative resources.

3 Q Okay. And I believe you used the phrase,  
4 quote, "best available information", close quote, in  
5 one of your answers on direct examination in terms of  
6 the work you do on evaluating the instream values. Do  
7 you recall that?

8 A Yes.

9 Q Is, quote, "best available information",  
10 close quote, does that phrase have a particular meaning  
11 in your job?

12 A Yes. We can't know everything about  
13 everything, so we use -- we often times rely on  
14 historical documents to supplement what we can gather  
15 in the field. And so we -- and we rely on other  
16 agencies to provide us with information and we don't  
17 -- we don't necessarily go out and say -- ask what  
18 every single household's water needs are. We rely on  
19 the County to provide like a summary of water uses and  
20 that's what we consider best available information.

21 There might be better available information if  
22 we, you know, tried to break down the use by household  
23 and/or commercial operation or resort facility, that  
24 sort of thing. But overall best available information  
25 is what the Commission relies upon.

1 Q Does that phrase, to your knowledge does  
2 that phrase, best available information, does that  
3 appear in the Water Code?

4 A I believe it does.

5 Q Okay. And among the information that you  
6 consult, does that include plantation historical  
7 diversion records?

8 A Yes.

9 Q Plantation irrigation records?

10 A Yes.

11 Q Plantation well data?

12 A Yes.

13 Q And when you're trying to put together  
14 historical information about diversion and irrigation  
15 historically, are the plantation records frequently the  
16 best available information on this subject?

17 A Yes.

18 MR. FRANKEL: Objection, calls for  
19 speculation.

20 THE COURT: Overruled.

21 THE WITNESS: Yes.

22 Q (BY MR. SCHULMEISTER) I think in your  
23 testimony this morning you made a reference. I think  
24 there was a question about how many streams in the  
25 state have status quo IFS from 1980 or so versus how

1 many have been set. And I think in your response you  
2 referred to a Moloka'i petition that's pending and also  
3 to something that's pending in West Maui. Do you  
4 recall that?

5 A Yes.

6 Q And in West Maui you made specific  
7 reference to something that you termed a, quote, "waste  
8 complaint", close quote. Is that right?

9 A Yes.

10 Q Does the Water Commission have a  
11 procedure for receiving and disposing of what you've  
12 referred to as waste complaints?

13 A Yes.

14 Q What is that procedure?

15 A When a formal complaint is made, the --  
16 that involves surface water, the Stream Protection  
17 Management Branch evaluates it on its merits, then we  
18 issue a -- a notice of violation to the other party  
19 that's -- the complaint is against, and request a  
20 response to understand their perspective on the  
21 complaint.

22 And then that information, we might do a  
23 follow-up, field work, or, you know, analyze new --  
24 other sources of information, and then we take the  
25 complaint to the Commission to either recommend some

1 sort of fine or recommend a modification to the system  
2 such that there will be less to -- to address the  
3 complaint, the waste issue, directly. Yeah.

4 Q Is this procedure provided -- I mean, if  
5 you know, is this provided for in the Water Code?

6 A I believe it's provided in our  
7 administrative rules.

8 Q Okay. And can any member of the public  
9 make a waste complaint to the Water Commission?

10 A Yes.

11 Q Okay. And does it matter whether the  
12 alleged waste is occurring on state land or private  
13 land?

14 A No. We evaluate all water sources.

15 Q Okay. And, actually, going back to the  
16 evaluations that you do for instream values and  
17 offstream values in connection with interim instream  
18 flow standards, is one of the factors that you apply in  
19 evaluations whether it's on private land versus state  
20 owned land?

21 A No.

22 Q So like, for example, in the Nā wai 'Ehā  
23 area, N-A-W-A-I-E-H-A, of West Maui, there's interim  
24 instream flow standards that have been set and are  
25 still undergoing review at the Commission, correct?

1 A Yes.

2 Q And that's principally all on private  
3 land, right?

4 A Correct.

5 Q And, again, in West Maui there's a number  
6 of Commission initiated interim instream flow  
7 amendments that there's been publication on and some of  
8 them are set, and most of those are on private land, is  
9 that right?

10 MR. FRANKEL: Objection, Your Honor,  
11 relevance.

12 THE COURT: Hang on. Let me review the  
13 question.

14 Well, it's compound and then it kind of  
15 changed a little bit, frankly, in the middle. If you  
16 could rephrase, Mr. Schulmeister.

17 MR. SCHULMEISTER: Okay. Let me withdraw the  
18 question.

19 Q And, basically, let me just ask the  
20 question this way. When evaluating whether to amend an  
21 interim instream flow standard for any particular  
22 stream, is it even a factor in the analysis whether all  
23 or part of the stream is on privately owned land versus  
24 on state land?

25 A Only in a water use management area where

1 a water use permit is being issued where a applicant  
2 may have riparian rights as opposed to just an  
3 appurtenant right to water would land ownership ever  
4 come into factor. The instream flow standards is -- it  
5 -- it doesn't consider whether the state owns the land  
6 on it -- around the stream or not.

7 Q Okay. And just to be clear, are any of  
8 the streams in the East Maui Ditch System within a  
9 designated water management area?

10 A No.

11 Q So there are no water use permits  
12 applicable to those streams as you've just described in  
13 your previous answer, is that right?

14 A Correct.

15 Q Okay. Have you been involved at all in  
16 any of the post-Decision and Order review and  
17 evaluation of diversion modification permits in the  
18 East Maui Ditch System?

19 A Yes.

20 Q Okay. And as far as the Commission's  
21 role in reviewing and taking action on diversion  
22 modification requests, does that depend at all on  
23 whether the diversions are located on state land or  
24 private land?

25 A No.

1 Q So the juris -- the Water Commission has  
2 jurisdiction over diversions regardless of whether  
3 they're on private land or state land, is that right?

4 MR. FRANKEL: Objection, calls for legal  
5 conclusion.

6 Q (BY MR. SCHULMEISTER) To your  
7 understanding.

8 THE COURT: His understanding. Yes, go ahead.

9 THE WITNESS: Correct.

10 Q (BY MR. SCHULMEISTER) Now, you recited  
11 very impressively from memory a lot of detailed  
12 descriptions of diversions on various streams. So I'm  
13 gonna test you. I'm gonna ask you about one.

14 Are you familiar with the Puolua, Puolua is  
15 P-U-O-L-U-A I believe, Stream at the Lowrie diversion?

16 A Pu'u -- Pu'ulua?

17 Q Yes, the tributary of Hanehoi,  
18 H-A-N-E-H-O-I.

19 A Oh. Okay. Got it. Yes.

20 Q All right. So is there a diversion at  
21 that location?

22 A There -- yes.

23 Q Are you familiar with that one?

24 A Yes.

25 Q And that's one of the streams that is a

1 full restoration stream under the D&O, correct?

2 A Yes.

3 Q Is there still some water going into the  
4 Lowrie Ditch at that location?

5 A I have not been to that location in maybe  
6 six months so I could only testify to -- prior to  
7 modifications to that.

8 Q Okay. Well, let me just ask you this.  
9 Do you know whether that stream goes all the way up to  
10 and is also diverted at the Wailoa Ditch?

11 A I don't believe it is, no.

12 Q Okay.

13 THE COURT: Mr. Schulmeister, we've been going  
14 50, 5-0 minutes. Can we take a break now or do you  
15 want to finish up in the area or?

16 MR. SCHULMEISTER: You know what, I am so  
17 close to being done, you know. I am so close to being  
18 done. Let me just take a quick look.

19 You know what, I don't have anything further.

20 THE COURT: All right. Thank you.

21 We'll take our recess now, for 11 minutes. So  
22 I'll see you back here at 11:00 a.m. Thank you.

23 We're in recess.

24 (Recess taken at 10:49 a.m.)

25 (Proceedings resumed at 11:01 a.m.)



1 THE COURT: Back on record.

2 FTR on?

3 THE BAILIFF: Yes, it is.

4 THE COURT: All right. Thank you.

5 Mr. Schulmeister, you're still concluded or do  
6 you have any additional questions you thought of during  
7 the break?

8 MR. SCHULMEISTER: No, I'm done for now until  
9 I hear how the rest of this goes. Thank you.

10 THE COURT: All right. Thank you.

11 All right. So let's go with Mr. Rowe next and  
12 then have Mr. Frankel.

13 MR. ROWE: Thank you, Your Honor.

14 CROSS EXAMINATION

15 BY MR. ROWE:

16 Q Dr. Strauch, have you ever taken members  
17 of the Commission on Water Resources Management on a  
18 site visit of East Maui streams?

19 A Yes.

20 Q Can you tell us a little bit about what  
21 you showed them?

22 A Depending on the specific day, locations  
23 where instream flow standards were to be established or  
24 already had been established, some representative  
25 diversion structures, the streams themselves, various

1 stream resources if easily accessible or visible.

2 Q And did you perform one of these site  
3 visits in conjunction with the 2018 Decision and Order?

4 A Yes.

5 Q And do you recall who was there?

6 A The entire list of participants?

7 MR. ROWE: I'll withdraw the question, Your  
8 Honor. I have nothing further.

9 THE COURT: All right. Mr. Frankel.

10 MR. FRANKEL: I'm chomping at the bit, Your  
11 Honor. Thank you.

12 THE COURT: Be careful what you ask for.

13 CROSS EXAMINATION

14 BY MR. FRANKEL:

15 Q Dr. Strauch, you provided a lot of  
16 detailed information about streams today and on Friday,  
17 right?

18 A Yes.

19 Q Did you provide that level of detail to  
20 the Board of Land and Natural Resources in 2019?

21 A No.

22 Q And in 2019, did you provide to the Board  
23 of Land and Natural Resources any criticisms of  
24 Dr. Parham's study which is in A&B's draft EIS?

25 A No.

1 Q Okay. And, you know, by the way, have  
2 you ever taken the Board of Land and Natural Resources  
3 members to a site visit of East Maui streams like you  
4 did with the Water Commission?

5 A No.

6 Q Okay. So I wanna ask you some questions  
7 about diversion structures on specific streams in East  
8 Maui, and I wanna start with Waiohu'e Stream,  
9 W-A-I-O-H-U-E. You've been to Waiohu'e Stream about 80  
10 times, right?

11 A Yes.

12 Q And when were you there last?

13 A Mid-July.

14 Q Okay. And you're familiar with the  
15 beautiful pond and waterfall by the Ko'olau Ditch  
16 there, right?

17 A The plunge pool?

18 Q Yes.

19 A Yes.

20 Q Okay. Let's take a quick look at it to  
21 refresh everyone's memory there. Exhibit 67. Sierra  
22 Club's Exhibit 67.

23 A Okay.

24 Q Got it there? And that's what we're  
25 talking about, that area?

1           A     That's the plunge pool you were referring  
2 to?

3           Q     Is that right? Okay.

4           A     And now, are you familiar with recommendations  
5 that the Division of Aquatic Resources made regarding  
6 the modification of diversion structures back in April  
7 2010?

8           A     In relation to this specific diversion?

9           Q     Well, let's just take it one step at a  
10 time. We'll get there. But are you familiar with the  
11 fact that they wrote a letter back in April 2010  
12 regarding structures on various streams in East Maui?

13          A     Yes.

14          Q     Is that -- okay. So let's take a look at  
15 Exhibit J-23.

16          A     Okay.

17          Q     And so you've seen this before, right?

18          A     Yep.

19          Q     And let's turn to page -- the page 11,  
20 the number's at the very bottom of the page.

21          A     Okay.

22          Q     Are you -- so, you know, back then the  
23 Division of Aquatic Resources recommended the digging  
24 of a channel to lower elevation to allow overflow water  
25 to go down the right bank. That's what they

1 recommended back then, right?

2 A Um -- that is one recommendation. They  
3 also provide a simple recommendation as described  
4 above, provide passage at waterfall pool.

5 Q Sure. You don't think that the narrative  
6 below is a description of how that diversion -- that  
7 modification would be made.

8 MS. GOLDMAN: Objection, Your Honor. This is  
9 outside of the witness's scope of personal experience.

10 THE COURT: Well --

11 MS. GOLDMAN: He's just reading something  
12 that's already been admitted into evidence.

13 THE COURT: Okay. I'm not -- I'm looking at  
14 the question in print and it's not as clear as I'd like  
15 it to be. Could you rephrase?

16 MR. FRANKEL: Sure.

17 You know what, I'm just gonna leave it at  
18 that. That's fine.

19 Q My question to you, Dr. Strauch, is the  
20 recommendation in the text below what I'll call the  
21 box, that has not taken place, has it, based on your  
22 observation? The modification of K-13 intake into  
23 Ko'olau Ditch would involve digging of a channel to  
24 lower elevation. That specific modification has not  
25 taken place, has it?

1           A     Not with the establishment of full  
2 restoration, the ditch intake has been closed, which  
3 increases the elevation of the plunge pool to the point  
4 where digging a said channel would not be necessary.

5           Q     Right. And so if we look at  
6 Exhibit J-21, J-21 now.

7           A     Okay.

8           Q     And if you turn to page 161 of this  
9 exhibit.

10          A     Okay.

11          Q     And have you seen something like this  
12 before? If not this exact chart, charts similar to  
13 this before?

14          A     Yes.

15          Q     Okay. And if we look at this chart and  
16 we look at what's going on with Waiohu'e Stream,  
17 which -- yeah, it is on page 161 towards the bottom  
18 there, Waiohu'e Stream, and this is information that  
19 was given to the Board of Land and Natural Resources  
20 before its 2019 meeting, and just as you said that  
21 sluice gate was closed, and that's what you're saying  
22 has happened. The sluice gate closed and therefore  
23 there's water that's flowing over the dam now, right?

24          A     So the control gate at the intake has  
25 been closed. The sluice gate is removed because you

1 don't need to sluice material out, so yes.

2 Q Okay. So the control gate is closed.  
3 Now, the gate that EMI installed there, that's not  
4 gonna last forever, is it?

5 A I mean, how do you -- how long do you  
6 define forever?

7 Q Well, is that -- forever's forever. Is  
8 that gate gonna last forever?

9 A I mean, again, it will last two -- two  
10 decades, yeah.

11 Q That's not my question. Is that gate  
12 gonna last forever?

13 A It's possible.

14 Q You've seen how it's constructed?

15 A Yeah.

16 Q And you're telling me you think it's  
17 gonna last forever?

18 A No, I think it's possible.

19 Q And so there's a possibility it may not  
20 last forever?

21 A Correct.

22 Q And if it doesn't last forever, the  
23 water's gonna flow into the ditch?

24 A Yeah.

25 Q Digging a channel is a more permanent

1 fix, isn't it?

2 A So I guess -- I'm gonna reph -- I'm gonna  
3 qualify my answer in saying when I say yes, water will  
4 flow into the ditch, it flows into the transmission  
5 ditch, not the Ko'olau Ditch.

6 Q That's fine. But also it's not flowing  
7 into the stream anymore (indiscernible) --

8 MS. GOLDMAN: Objection, Your Honor.  
9 Argumentative.

10 THE COURT: Overruled.

11 THE WITNESS: So can you re -- can you  
12 rephrase the question or tell me the question again?

13 Q (BY MR. FRANKEL) If that gate, for lack  
14 of a better word, were to collapse, or break, degrade,  
15 the water then would no longer be flowing directly into  
16 the stream, it would be diverted out of the stream,  
17 right?

18 A Correct.

19 Q Now, has the Board of Land and Natural  
20 Resources ordered EMI or A&B to do the modification  
21 specifically recommended by the Division of Aquatic  
22 Resources, yes or no?

23 A I don't attend every board meeting so I  
24 don't -- I can't answer that.

25 Q And so as far as you know, no such order



1 has been made as far as you know?

2 A As far as I know that the Commission has  
3 established full restoration and EMI has complied.

4 Q Okay. But I'm talking my focus here is  
5 on diversion structures. So as far as you know, as far  
6 as you know, the Board has not ordered EMI or A&B to  
7 follow the recommendation that the Division of Aquatic  
8 Resources issued back in 2010, correct?

9 A Correct.

10 Q Okay. I wanna turn to another diversion  
11 structure. And let's talk about Puohokamoa Stream.

12 MR. FRANKEL: And, Your Honor, I don't -- do  
13 we have the same court reporter as we had before? Or  
14 should I spell it all out?

15 THE COURT: No need.

16 MR. FRANKEL: Okay. Thank you, Your Honor.

17 Q Now, in 2010, the Division of Aquatic  
18 Resources remember -- sorry -- recommended  
19 incorporating v-notches into three diversions. Does  
20 that sound familiar?

21 A Yes.

22 Q And that has not taken place, right?  
23 Right?

24 A Correct.

25 Q And we know that in part by looking again

1 at Exhibit J-21, which is that sort of for lack of a  
2 better word spreadsheet that was provided to the Board  
3 of Land and Natural Resources?

4 A Correct.

5 Q Okay. Now, has the Board of Land and  
6 Natural Resources ordered EMI to do the modifications  
7 recommended by the Division of Aquatic Resources as far  
8 as you know?

9 A No.

10 Q Is there -- okay. Great. Now let's talk  
11 about Hanawā Stream. Can you just really briefly  
12 describe for the court what Big Spring is and what's  
13 kind of unique about it?

14 A So the geology of the Hanawā area which  
15 includes Hanawā watershed but also neighboring  
16 watersheds is pretty unique. There are a lot of what  
17 we call perched water bodies. Think of it as like a  
18 pancake of concrete that are -- it's sub -- subsurface.

19 And so you get a lot of rainfall in that  
20 region and this is one of the wettest parts, if not the  
21 state, the world, and a lot of that infiltrated  
22 rainfall doesn't make it to the base of the aquifer.  
23 It hits that concrete pancake, flows horizontally, and  
24 when the stream has incised or eroded away at that  
25 pancake, that -- that horizontal flow of water springs

1 as a spring into the stream channel.

2 Big Spring is one of, you might imagine, the  
3 larger springs in the area and contributes a lot of  
4 flow to the lower reaches of Hanawī Stream.

5 Q And Big Spring certainly makes Hanawī a  
6 gaining stream, correct?

7 A Correct.

8 Q Now, in 2010 the Division of Aquatic  
9 Resources recommended incorporating a v-notch on the  
10 dam wall right bank. Are you familiar with that  
11 recommendation?

12 MS. GOLDMAN: Objection, Your Honor, asked and  
13 answered.

14 THE COURT: Overruled.

15 THE WITNESS: Yes.

16 Q (BY MR. FRANKEL) So and that again, so  
17 that's in Exhibit J-23 at 12. That recommendation is  
18 there under the table there. That's a recommendation  
19 that's made. And you understand that the purpose of  
20 this was to allow for the animal passage and to reduce  
21 entrainment of larvae, right?

22 A Yes.

23 Q And given the huge volume of water from  
24 Big Spring, the key to restoration habitat in Hanawī is  
25 establishing that good connection. It's rather than

1 putting lots of water back in the stream, it's to  
2 ensure that the species can get -- move back and forth  
3 and they don't get entrained, right?

4 A Correct. But a v-notch is not the only  
5 way to achieve connectivity.

6 Q Now, the v-notch has not been put in the  
7 dam wall, correct?

8 A Correct.

9 Q BLNR has not ordered a v-notch be  
10 installed, correct?

11 A Correct. The Commission has jurisdiction  
12 over structures in the stream channel.

13 MR. FRANKEL: Well, move to strike, Your  
14 Honor, nonresponsive.

15 THE COURT: Sustained.

16 Q (BY MR. FRANKEL) So instead of a  
17 v-notch, what's happened so far is a sluice gate has  
18 been altered, is that right?

19 A When you say -- what do you mean by  
20 altered?

21 Q Well, let's take a look at Exhibit J-21,  
22 again, that sort of spreadsheet at page I think it's  
23 161. Yeah.

24 And maybe I shouldn't -- you're right, the  
25 word shouldn't be altered, it's been adjusted. Do you

1 see on page 161 of Exhibit J-21 it talks about Hanawā  
2 Stream and it talks about adjustments to the sluice  
3 gate in yellow?

4 A Yeah.

5 Q Do you see that?

6 A Yes.

7 Q And that's your understanding as well,  
8 right, the sluice gate has been adjusted?

9 A Yes.

10 Q Now, that sluice gate will not last  
11 forever, will it?

12 A No.

13 Q Okay. I wanna switch gears from  
14 structures to stream flow. Now, you're familiar with  
15 the status quo standard you testified, right?

16 A Yes.

17 Q The standard was whatever was flowing on  
18 June 15th, 1988.

19 A Yes.

20 Q And that was based on existing water  
21 diversion?

22 A Yes.

23 Q And that is the standard for 13 streams  
24 in East Maui, right?

25 A Uh -- are you referring to the main stem

1 of 13 hydrologic units, yes.

2 Q And that standard was not based on the  
3 biological value of those streams, right?

4 A No.

5 Q Or the ecological value?

6 A No.

7 Q And it was not based on the recreational  
8 value of those streams?

9 A I don't think so.

10 Q And so the 1988 standard did little more  
11 than ratify the existing diversion.

12 A I don't know what you mean by ratify.

13 Q All right. Let's move on.

14 So and this might -- I hope this is not gonna  
15 be tedious. I want to try to summarize some of the  
16 information that Miss Goldman walked you through.

17 I wanna ask you about the restoration status  
18 of the streams in East Maui. Just the streams, not the  
19 tributaries. I just wanna focus on the streams as you  
20 defined them. So in 2018 the Water Commission ordered  
21 full restoration of nine streams, is that right?

22 A I believe so.

23 Q And of those full restoration streams,  
24 Waiokamilo ends in a terminal waterfall, is that right?

25 A Yes.

1 Q Okay.

2 A I mean, there are -- there are other  
3 streams that end in terminal waterfalls in East Maui.

4 Q Right. But we're just talking about the  
5 full restoration streams and one of them ends in a  
6 terminal waterfall, right?

7 A Yes.

8 Q Now, the Water Commission required that  
9 64 percent of the base flow remain in five streams, is  
10 that right?

11 A Yes. And I don't have the numbers  
12 memorized. I mean, I can count 'em off, but, yes.  
13 I'll go with yes.

14 Q Well, would it help if I listed them off  
15 for you or are you comfortable --

16 A I'm fine. No, I'm fine with five.  
17 That's fine.

18 Q Okay. And of those 64 percent base flow  
19 streams, Waikamoi ends in a terminal waterfall, right?

20 A Yes.

21 Q And there are approximately five native  
22 species that can get up over a terminal waterfall,  
23 right?

24 A Um -- there are two fish, um -- one  
25 shrimp, and one mollusk that are the best climbers that

1 would be able to inhabit a habitat above waterfalls.

2 So I would say four.

3 Q All right. And, again, going through  
4 this list, this gets a little confusing, but the Water  
5 Commission allowed all but 20 percent of the flow of  
6 seven or eight streams to be diverted in connectivity  
7 streams. Does that sound right?

8 A Twenty percent of the median base flow,  
9 yes.

10 Q And that's for seven or eight streams?

11 A I'd have to count them on the list.

12 Sorry.

13 Q Okay. All right.

14 Now, let's switch gears. We're making good  
15 progress. Switching from the amount of water that's  
16 flowing in these streams, you don't know how the water  
17 taken from East Maui is actually used or has been used  
18 in 2019 or 2020, do you?

19 A Um -- the actual application of the water  
20 to a specific use? No, we don't get that information.  
21 We only are -- are only -- the diverter is only  
22 responsible to report the amount diverted to us.

23 Q Thank you.

24 Again switching gears, you described invasive  
25 species like bamboo and strawberry guava near streams



1 when Miss Goldman was asking you questions earlier.  
2 Now, was that within the revocable permit area or  
3 outside the revocable permit area?

4 A Depends on the watershed.

5 Q Okay. So sometimes some of those  
6 conditions you were describing are in the revocable  
7 permit area and sometimes it's outside the revocable  
8 permit area?

9 A When you say revocable permit area, do  
10 you mean state owned property?

11 Q Yes.

12 A Yes.

13 Q Okay. And have you ever seen in all your  
14 time spent in the East Maui watershed, have you ever  
15 seen folks from East Maui Irrigation working to  
16 eliminate, get rid of invasive species in the  
17 watershed?

18 A Uh, only in areas that are along acc --  
19 accessible, you know, roads and trails and that sort of  
20 thing, not as a general maintenance of the watershed.

21 Q In other words, to make sure the road or  
22 trail is clear, not to get rid of the species?

23 A Correct.

24 Q Okay. Now, you visited Waipi'o Stream  
25 for the first time this year, right?

1 A Yes.

2 Q July and August?

3 Out loud?

4 A Yes.

5 Q Thank you.

6 Were diversions taking water from the stream  
7 at that time?

8 A Um -- not at the New Hāmākua Ditch. And  
9 at the, um -- at the Ha'ikū Ditch the sluice gate was  
10 open, but at the Lowrie Ditch I wasn't able to get to  
11 the -- all the intake so I can't say so.

12 Q And is New Hāmākua the highest diversion  
13 on that particular stream?

14 A Yes.

15 Q And so Wailoa doesn't go --

16 A Wailoa does not take any water. It's --  
17 the stream is too small and ephemeral and there's --  
18 there was no design of an intake for the Wailoa Ditch.

19 Q Okay. Now, before you testified on  
20 Friday you'd seen some of the photographs the Sierra  
21 Club's using in this trial, right?

22 A Yes.

23 Q And were you given the individual  
24 photographs or did you get an email that was forwarded  
25 to the attorneys back on March 12th?

1           A     I don't remember. Um --

2           Q     Was it in email form or was it a single  
3 photo? In other words, was the email with lots of  
4 photos or just individual photos?

5           A     Just individual photos.

6           Q     Okay. And when were you given those  
7 photos?

8           A     I don't know.

9           Q     Well, were you given them in -- I don't  
10 need the exact date. Were you given them in March,  
11 April, May, June?

12          A     No, more recently.

13          Q     So probably not. So July maybe?

14          A     Yeah, that would sound about right.

15          Q     Okay. So you followed up on those  
16 photographs specifically because of this trial?

17          A     Not really. Um -- I do field work as  
18 part of a routine, you know, work, and so no, none of  
19 my -- none of my field work was specific to this trial.  
20 It was just, um -- when we had a ban on interisland  
21 travel starting in mid-March, I had to cancel maybe 14  
22 trips to Maui, and so I tried to fit in as much as  
23 possible in June, July, and August.

24          Q     But you were not given these photographs  
25 until July, right?

1           A     Right.

2           Q     Okay.  And by the way, have you looked at  
3 the plaintiff's or the Sierra Club's trial memoranda  
4 that was filed in this case?

5           A     I don't think so.

6           Q     Okay.  All right.  So I wanna talk to you  
7 about trash or treasure.  If you could take a look at  
8 Exhibit AB-63.

9           A     Okay.

10          Q     And if you could take, you know, a minute  
11 to just sort of scroll through those photographs.

12          A     Oh, there's more than one?  Okay.

13          Q     Okay.

14          Q     Have you ever seen debris like this in  
15 the area encompassed by the revocable permits in East  
16 Maui?

17          A     To some of the old rail structure I have  
18 seen, um -- not those -- do you want me to be specific?  
19 Um, I -- some of the old wrought iron stuff definitely.

20          Q     And when you say you've seen it before,  
21 would you say your observations were relatively recent  
22 or you saw them a long --

23          MS. GOLDMAN:  Objection.

24          Q     (BY MR. FRANKEL)  -- time ago --

25          THE COURT:  Let him finish the --

1 MS. GOLDMAN: (Indiscernible).

2 THE COURT: Let him finish the question,  
3 please.

4 All right. Dr. Strauch, we're about to have a  
5 question and then an objection, so please don't answer  
6 the question right away. Thank you.

7 Q (BY MR. FRANKEL) So when you say you've  
8 seen these things or things like this in the revocable  
9 permit area, my question were those observations made  
10 relatively recently or in 2018 or in 2017? Can you  
11 give us some sort of ballpark when you would have seen,  
12 when you did see materials like this within the  
13 revocable permit area?

14 THE COURT: Okay. Miss Goldman.

15 MS. GOLDMAN: Objection, Your Honor, over  
16 broad, compound.

17 THE COURT: Overruled. It's general, but we  
18 gotta start somewhere. Go ahead.

19 THE WITNESS: Yeah. For 2018 I've seen some  
20 wrought iron in the state owned portion of the  
21 watersheds.

22 Q (BY MR. FRANKEL) And maybe if you could,  
23 when you say wrought iron, maybe it might help the  
24 record if looking at Exhibit AB-63 you could tell us  
25 which photograph sort of most is similar or resembles

1 what you mean by wrought iron? Which page?

2 A Um, the -- I think it's 9, page 9 or  
3 photograph 9, the -- like you can -- old railroad rails  
4 which were used to transport material from tunnels, and  
5 then were often incorporated into stream diversions.

6 Q All right. And, you know, you've  
7 described just the impressive amount of work you do,  
8 and so I'm not attempting to denigrate you whatsoever.  
9 But I wanted to know, did you ever ask anyone at EMI to  
10 remove the debris that you'd seen, that looked like the  
11 photo, that sort of resembled some of the stuff in page  
12 9 of Exhibit AB-63?

13 A No, because they are often incorporated  
14 into structures built for stream diversions, so I just  
15 figured they were material in -- waiting to be used.

16 Q And in fact, Dr. Strauch, have you seen  
17 disconnected PVC pipes hanging from any of the  
18 waterfalls in the revocable permit area?

19 A Um -- when you say disconnected, are  
20 you -- were they -- are you implying that they were  
21 connected together and then they were just separated  
22 out? Or just like a PVC pipe that doesn't flow --  
23 isn't connected to the ditch itself?

24 Q Well, you know that for some of these  
25 streams EMI had in focusing to restore the amount of

1 water flowing in these streams, they've had to  
2 disconnect certain pipes to ensure the amount of water  
3 remains in the flow. Does that make sense?

4 They've disconnected pipes that were diverting  
5 water before, and they disconnected them so they  
6 can't divert the water anymore.

7 A Disconnected them from like from the  
8 spring source?

9 Q No. I -- well, who knows where the  
10 disconnection is, but they're hanging in midair. Have  
11 you seen a pipe hanging, a PVC pipe hanging in midair  
12 above or within a waterfall?

13 A Can you give a photo of an example?

14 Q I cannot. But maybe if you thought of  
15 Waiokamilo Stream, can you think of any plastic pipes  
16 that are hanging along the waterfall there?

17 A So Waiokamilo is the one watershed that I  
18 didn't have to visit any of the diversions from or that  
19 I had --

20 Q All right.

21 A So I'm not familiar with those, sorry.

22 Q All right. Have you seen any PVC pipes  
23 that are sort of in midair anywhere in the East Maui  
24 watershed that do not appear to have any function?

25 A No.

1           Q     Okay.  When you went in on your visits in  
2 July and August 2000 -- this year, did you see anything  
3 that could be characterized in the way you characterize  
4 things as trash, debris, or garbage?

5           MS. GOLDMAN:  Objection, Your Honor, vague as  
6 to the location of where he would have seen something  
7 of that nature.

8           THE COURT:  Well, we'll see if he answers yes  
9 and then we'll see if we get into the details.  
10 Overruled.

11          THE WITNESS:  Yeah, I've seen what I would  
12 consider debris or garbage in the stream.

13          Q     (BY MR. FRANKEL)  And that's within the  
14 revocable permit area?

15          A     Not usually.  Um -- I mean, my experience  
16 has largely been in the agricultural zoned areas, like  
17 the lower say 25 percent of each of the watersheds,  
18 other than the -- the small watersheds.  That's where a  
19 lot of the trash gets, um -- collect -- or gets  
20 transported by runoff, you know, from the neighboring,  
21 um -- regions and get stuck.

22          Q     I understand.  My preface to my question  
23 was your recent visit in July and August 3rd.  So my  
24 question is about those visits.  And I don't know if  
25 that's one, two visits, or four visits, but in this



1 very recent timeframe, within the last month or so,  
2 have you seen debris, trash, garbage within the  
3 revocable permit area, again, within this time period?

4 A Uh, yes.

5 Q And where was that?

6 A That was in Nā'ili'i Ilihaele.

7 Q And what did you see there?

8 A I saw an old, um -- iron pipe that had --  
9 may have been used as a -- to convey water or to convey  
10 a control line between the Wailoa and New Hāmākua  
11 Ditches on the side of the road, the access road.

12 Q Did you see any other garbage, debris,  
13 trash, anything like that within again this last month  
14 or so within the revocable permit area?

15 A I'd have to really think about it.  
16 Um -- yeah. Um -- between the New Hāmākua Ditch intake  
17 and the Old Hāmākua Ditch intake on Ho'olo --  
18 Ho'olawanui there was a piece of what I estimate or  
19 guess to be a part of the diversion structure that had  
20 been damaged.

21 Q And I know you have a lot to do. But  
22 have you asked anyone from EMI to remove these two  
23 things that you observed fairly recently? Have you had  
24 the time to do that?

25 A I notified them of the former, but not

1 the latter. I hadn't had time to follow that.

2 Q Okay. And so when did you let them know  
3 about the former? When would that have been?

4 A A couple weeks ago. I don't -- I don't  
5 remember the specific date.

6 Q Right kind of before trial started?

7 A I would say end of July.

8 Q Okay. All right.

9 So let's take a look at Exhibit 56, Sierra  
10 Club's Exhibit 56.

11 A Okay. Is it the Water Use Report?

12 Q I'm sorry. Not the -- Sierra Club's,  
13 not the -- it's a photograph you'll recognize.

14 A Okay.

15 Q So this pipe diverts water from a spring  
16 to the stream and then into the EMI ditch, right?

17 A Correct.

18 Q And you don't know where the water from  
19 the spring would flow if not for this pipe. Precisely.

20 A Well, sometimes spring flow didn't ever  
21 have a precise direction, and it was very -- you know,  
22 depending on the contours of the -- the landscape, you  
23 know, it could spread out.

24 Q Sure, and it could -- it may flow over  
25 the waterfall there, the Ho'olawanui Waterfall you

1 described, without having to go over the lip itself.  
2 It could go in there where there is no lip.

3 A So the spring is upstream of the main  
4 Wailoa intake and it would -- based on the channel and  
5 the riparian area, it would most likely flow directly  
6 into the stream itself. So I -- you know, there is  
7 basalt along the right bank that -- bedrock that would  
8 confine it to the current channel. I doubt it would  
9 flow anywhere other than where the current flow is.

10 Q So then this pipe may not be necessary to  
11 convey the water to this part of the stream?

12 A So these sorts of diversions were -- more  
13 directly conveyed the water. So they were placed at  
14 the start of a spring. So you wouldn't lose water to  
15 -- in a diffused flow or to evaporation. It would  
16 transport it -- transport it directly from the spring  
17 to the stream.

18 Q And so water that might be used by plants  
19 or whatever in the area is completely captured so it  
20 can be taken up to Central Maui, right?

21 A So that riparian area is dominated by  
22 non-native species, so, yes, they would have used  
23 water, but they're doing well already.

24 Q To your knowledge has the Board of Land  
25 and Natural Resources -- I take it back. Have you ever

1 discussed or shown this pipe to the Board of Land and  
2 Natural Resources?

3 A No.

4 Q And to your knowledge has the Board of  
5 Land and Natural Resources ever determined that this  
6 pipe does not mar natural beauty?

7 A No.

8 Q Okay. Let's turn to Exhibit 57.

9 A Okay.

10 Q So you were given this photograph by your  
11 attorney within the last -- sometime in July, right?

12 A Yeah.

13 Q Were you able to see it on your recent  
14 visit?

15 A Yep.

16 Q And would you agree that it's  
17 disconnected?

18 A Yes.

19 Q Did you ask EMI to remove it?

20 A No. That would not be appropriate. The  
21 Water Commission would have to judge that that ir --  
22 diversion would be not needed, and so there would be an  
23 abandonment process that would have to take place.

24 Q Okay. Let's look at Exhibit 58.

25 A Okay.

1 Q You remember talking about this  
2 photograph earlier, right?

3 A Yep.

4 Q You called this a stilling well.

5 A The structure on the left-hand side, yes.

6 Q And, actually, to take a step back. So  
7 when you are -- to get to this area, do you drive up  
8 Lupi Road, is that right?

9 A Yes.

10 Q And just before you get to this area  
11 there's basically a bridge over the stream, right?

12 You have to drive over?

13 A There are multiple bridges across  
14 multiple streams in the area, yes.

15 Q But to get to this particular area, right  
16 in this area there's a bridge nearby, right?

17 A I mean, I would assume so, yes.

18 Q Well, when you descend from that  
19 elevation down into the stream bed. Right?

20 A I mean, are you telling me what I did?

21 Q Well, what does one do to get to  
22 this -- where the photograph is? One would have to  
23 descend from the roadway down into the stream bed,  
24 right?

25 A I mean, I didn't take the photograph so I

1 don't know how.

2 Q Well, you did testify that you went to  
3 this area just a few weeks ago.

4 A To this area, not to where this  
5 photograph was taken.

6 Q Oh. So you didn't see this pho -- you  
7 did not go to this specific area on your recent visit?

8 A When you say area, can you define area  
9 then?

10 Q Did you actually see the area depicted in  
11 this photograph or not?

12 A No.

13 Q Oh. Okay. Then -- okay. I did not  
14 understand that. Okay.

15 So do you -- there is a big tunnel that is  
16 above and a few, maybe a hundred feet above where this  
17 area is depicted, isn't there?

18 A So when you say big tunnel, do you mean  
19 the tunnel that is transporting the Wailoa Ditch or?

20 Q It's a tunnel that's empty that you could  
21 walk in. It may lead to the Wailoa Ditch. That I  
22 don't know. But I'm asking you whether there's a big  
23 tunnel up there that's dry, that you've ever seen?

24 A So there are multiple access tunnels,  
25 yes.

1 Q And is there one in the area of the  
2 stilling well?

3 A Yes. I mean, that's what we're looking  
4 at.

5 Q Well, that's interesting.

6 All right. Have you seen this stilling well  
7 in operation?

8 A No.

9 Q So you don't know that it's still in use,  
10 do you?

11 A I don't think it is because the actual  
12 gage house is missing.

13 Q Huh. So that pipe that's in this photo  
14 that leads to the stilling well is going to a stilling  
15 well that you believe is no longer in use?

16 A Correct.

17 Q Okay. So that pipe is not in use?

18 A Correct.

19 Q And this is -- how far away would you say  
20 this is from where water is flowing from the stream  
21 that you have seen?

22 A I would -- so I would guess that it's  
23 probably a few hundred feet.

24 Q Okay. Now let's go look at Exhibit 61.

25 A Okay.

1 Q You've been to Hoalua Stream about 120  
2 times, right?

3 A Yeah.

4 Q And you've seen this pipe?

5 A Yep. But not 120 times.

6 Q That's fair. Have you presented a  
7 photograph of this pipe to the Board of Land and  
8 Natural Resources?

9 A No.

10 Q To your knowledge has the BLNR ever  
11 determined that this pipe does not mar natural beauty?

12 A No.

13 Q Okay. Let's take a look at Exhibit 65.

14 A Okay.

15 Q This pipe used to serve a purpose, right?

16 A Yes.

17 Q But it no longer does?

18 A Not at this very moment, correct.

19 Q And you have not asked EMI to remove it,  
20 correct?

21 A We have not, no.

22 MR. FRANKEL: Thank you.

23 I have no further questions, Your Honor.

24 THE COURT: All right. Thank you.

25 Let's see, back to you, Miss Goldman. Let me



1 ask. It's quarter of. What's your guesstimate on how  
2 much more time?

3 MS. GOLDMAN: Just a few questions, Your  
4 Honor. Hopefully not more than ten minutes.

5 THE COURT. Okay. Hang on. Let's go off  
6 record for a second.

7 (Off record.)

8 THE COURT: All right. We'll continue.

9 Thank you. Go ahead.

10 Back on record.

11 MS. GOLDMAN: Thank you, Your Honor.

12 REDIRECT EXAMINATION

13 BY MS. GOLDMAN:

14 Q Dr. Strauch, just a couple things. To  
15 your knowledge, who has jurisdiction over the diversion  
16 structures in a stream?

17 MR. FRANKEL: Objection, relevance, calls for  
18 legal conclusion, lacks foundation.

19 THE COURT: I'll allow it as to his  
20 understanding, but this might be the fifth time the  
21 court's been told about this.

22 THE WITNESS: The Commission on Water Resource  
23 Management issues permits for stream diversion works  
24 and stream alteration permits.

25 MS. GOLDMAN: Okay.

1           Q     You also mentioned that there are more  
2 ways to ensure connectivity than by inputting a v-notch  
3 into a diversion structure. Could you give an example  
4 please of one of the other ways?

5           MR. FRANKEL:  Objection, calling for expert  
6 testimony.

7           MS. GOLDMAN:  Your Honor, it's directly  
8 responsive to a line of questioning clearly opened by  
9 plaintiff.

10          THE COURT:  Overruled.

11          THE WITNESS:  So a wedded path can be provided  
12 for across the dam face which a -- a flow of water  
13 would provide that connectivity for recruitment or  
14 migration upstream of native indigenous species. The  
15 wedded pathway is achieved in a number of situations in  
16 East Maui by prior modifications to the diversions in  
17 certain locations.

18          Q     (BY MS. GOLDMAN)  Okay.  And just  
19 quickly, drawing your attention back to the exhibit you  
20 looked at previously, it was AB-63.  Could you bring  
21 that up again, please?

22          A     Okay.

23          Q     Okay.  Could you just flip through so we  
24 know you've seen all of the photographs, please.

25          A     Okay.

1 Q Okay. And from what you can tell from  
2 the photos, are these materials still littering any  
3 stream bank?

4 A No, I don't see any streams in -- in  
5 these photos.

6 Q And finally, AB-58, please.

7 A Okay.

8 Q Although this stilling well is not  
9 currently in operation, to your knowledge is there  
10 anything that would prevent it from becoming operable  
11 again one day?

12 A I guess, um -- AB-58 is -- I'm looking at  
13 --

14 Q Oh, I apologize. Plaintiff's 58. Thank  
15 you for clarifying.

16 A So the stilling well would need to be  
17 cleaned out or ensured that there was no debris inside  
18 it and then a new gage house could be put on top of it.  
19 And then with the existing pipe, the -- if it was  
20 continuous with the ditch, then it could still be  
21 operated.

22 MS. GOLDMAN: Thank you, Your Honor. That's  
23 all from the State for this witness at this time.

24 THE COURT: Thank you.

25 Thank you. Mr. Schulmeister?

1 MR. SCHULMEISTER: I have no questions.

2 THE COURT: Mr. Rowe?

3 MR. ROWE: I have no further questions, Your  
4 Honor.

5 THE COURT: Mr. Frankel?

6 MR. FRANKEL: Just one quick question, Your  
7 Honor.

8 RECROSS EXAMINATION

9 BY MR. FRANKEL:

10 Q Dr. Strauch, have you seen where the end  
11 of this pipe is? Or both ends have you seen where they  
12 end?

13 A One ends in the stilling well. I have  
14 not been into that tunnel specifically, no.

15 MR. FRANKEL: Okay. No further questions.

16 THE COURT: Miss Goldman?

17 Sorry. I think you were muted. I didn't hear  
18 anything.

19 MS. GOLDMAN: Oh. Nothing further from the  
20 State. Thank you.

21 THE COURT: Mr. Schulmeister?

22 MR. SCHULMEISTER: No further questions.

23 THE COURT: Thank you.

24 Mr. Rowe?

25 MR. ROWE: No further questions, Your Honor.

1 THE COURT: I think that's it.

2 All right. Dr. Strauch, thank you for your  
3 participation these last couple of days. Your  
4 testimony is concluded and you are free to go.

5 THE WITNESS: Thank you, Your Honor.

6 THE COURT: All right. Let's go off record  
7 and talk about our lunch break.

8 (Off record.)

9 THE COURT: All right. 1:00 o'clock. See you  
10 all then.

11 MR. FRANKEL: Thank you, Your Honor.

12 (Recess taken at 11:53 a.m.)

13 --oOo--

14

15

16

17

18

19

20

21

22

23

24

25

